

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE UNITED STATES DISTRICT COURT
IN AND FOR THE DISTRICT OF DELAWARE

JAZZ PHARMACEUTICALS, INC.,)	
Plaintiffs,)	C.A. No.
v.)	21-691-GBW
)	
AVADEL CNS PHARMACEUTICALS, LLC,)	
Defendant)	
-----)	
)	C.A. No.
JAZZ PHARMACEUTICALS, INC., et al.,)	21-1138-GBW
Plaintiffs,)	
v.)	
)	
AVADEL CNS PHARMACEUTICALS, LLC,)	
Defendant.)	
-----)	
)	
JAZZ PHARMACEUTICALS, INC., et al.,)	
Plaintiffs,)	C.A. No.
v.)	21-1594-GBW
)	
AVADEL CNS PHARMACEUTICALS, LLC,)	
Defendant.)	

- - - -

Wilmington, Delaware
Wednesday, February 28, 2024
Trial Day 3

- - - -

BEFORE: HONORABLE GREGORY B. WILLIAMS
UNITED STATES DISTRICT COURT JUDGE

- - - -

Michele L. Rolfe, RPR, CRR

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES:

MORRIS, NICHOLS, ARSHT & TUNNELL LLP
BY: JEREMY A. TIGAN, ESQ.

-and-

QUINN EMANUEL URQUHART & SULLIVAN, LLP
BY: F. DOMINIC CERRITO, ESQ.
NICHOLAS CERRITO, ESQ.
FRANK C. CALVOSA, ESQ.
JOSEPH PAUNOVICH, ESQ.
For Plaintiff

MCCARTER & ENGLISH, LLP
BY: DANIEL M. SILVER, ESQ.

-and-

LATHAM & WATKINS LLP
BY: KENNETH G. SCHULER, ESQ.
MARC N. ZUBICK, ESQ.
HERMAN YUE, ESQ.

MORRISON FOERSTER
BY: DARALYN DURIE, ESQ.
KIRA DAVIS, ESQ.
ADAM BRAUSA, ESQ.
For Defendant

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- - - - -

P R O C E E D I N G S

(REPORTER'S NOTE: The following jury trial was held in Courtroom 6B beginning at 8:45 a.m.)

THE COURT: Good morning. You may be seated.

All right. So I have reviewed the parties' joint submissions. Let's deal with these objections first.

With respect to Jazz's objections to Avadel's demonstratives DDX-WC 0002, 0.004, 0.089, this objection is sustained in part and overruled in part.

So, you know, an expert can't testify as to laws. The Court's role is to instruct the jury on the law. The expert can testify as to what standard he applied in reaching his opinions. So I think there was a question yesterday with the damages expert, with Jazz's damages expert that, you know, I thought was appropriate. It didn't cross the line. The difference here is that you got slides. So I'm not going to allow the slides, but the expert can testify as to what standard he applied.

MS. DURIE: Understood.

THE COURT: All right. Second objection has to do with JTX0217 and DTX0044. And this goes to Jazz's making

1 objections claiming under 401, 403 -- mainly 403, claiming
2 that it's not relevant to enablement because it's unclaimed
3 formulation. So what Jazz didn't address is Avadel's
4 argument about relevancy on inventorship, and that's the
5 one -- that's where I see it's relevant to. And that *Coda*
6 case was instructive on that issue.

7 So with respect to that objection, I'm going to
8 overrule it because I think the evidence is relevant at
9 least in part -- the evidence is relevant at least to
10 inventorship.

11 And then my understanding is that Avadel has
12 withdrawn its objections with respect to Dr. Greenblatt, so
13 that's off the table.

14 MS. DURIE: Yes, Your Honor.

15 THE COURT: All right. So that deals with the
16 objections.

17 Time: Yesterday Jazz used 4 hours and
18 59 minutes, so Jazz has used a total of 8 hours and
19 4 minutes. Avadel used 1 hour and 31 minutes yesterday, so
20 Avadel has used a total of 4 hours and 33 minutes.

21 Jazz has 4 hours and 26 minutes remaining.
22 Avadel has 7 hours and 57 minutes remaining.

23 Please, both sides, keep in mind, leave time for
24 your closings.

25 MS. DURIE: May I address a housekeeping matter?

1 THE COURT: I'm sorry?

2 MS. DURIE: Sorry. Just I had a housekeeping
3 matter that I wanted to address.

4 THE COURT: Yes, yes. Any update on your
5 witness?

6 MS. DURIE: Yes. It appears that she is
7 unlikely to be able to testify. We had been hoping that she
8 would feel well enough to be able to testify via Zoom. That
9 is looking unlikely. It's not off the table, but it is
10 just -- complete candor to the Court, it is looking
11 unlikely, and we are going to make a decision today about
12 what we're going to do in light of that. And we will update
13 the Court later today, and I have told counsel that.

14 THE COURT: All right.

15 MS. DURIE: I have one purely administrative
16 matter. There are three exhibit numbers that we need to
17 correct on the transcript. I have conferred with counsel.
18 DTX1554 should be DTX1454. JTX26411 should be JTX264.11.
19 And PTX360 should be PTX0060.

20 THE COURT: All right.

21 MS. DURIE: There is one other issue that I
22 wanted to raise. Your Honor had reserved yesterday on
23 objections to certain portions of Mr. Bura's designations.

24 THE COURT: Right. I thought Mr. Calvosa had
25 gotten up and said -- I remember you withdraw at least some

1 of them. I thought you were withdrawing all of them --

2 MR. CALVOSA: I withdraw with respect to a
3 different issue where there was the question of --

4 THE COURT: Okay.

5 MR. CALVOSA: -- asking the witness about
6 enablement, but not to --

7 THE COURT: All right. So I still need to rule
8 on that one that I had -- I had reserved.

9 MR. CALVOSA: Yes.

10 THE COURT: I remember the first one I had set
11 aside.

12 MS. DURIE: Right. So we may -- we may wind up
13 playing that testimony other than in the order in which we
14 had disclosed it.

15 THE COURT: Okay.

16 MS. DURIE: And we do intend to play that in our
17 case, and I don't know how time is going to go today, but...

18 THE COURT: All right. All right. So I will
19 rule on that before we bring the jury back in.

20 MS. DURIE: Perfect. I would -- we would
21 appreciate that.

22 Final issue before we bring the jury in, I
23 understand that what's going to happen is the first thing is
24 Plaintiff rests. We have a Rule 50(a) motion. I thought it
25 might make sense to just deal with this off the record

1 before the jury comes in so that the first thing that
2 happens isn't them resting and my standing up and saying, I
3 have a Rule 50 motion, and we can just put it on the record
4 now.

5 THE COURT: Okay. That's fine.

6 Is Jazz fine with that?

7 MR. CERRITO: That seems reasonable, Your Honor.

8 THE COURT: Okay.

9 MS. DURIE: Okay. So, Your Honor, just for the
10 record, pursuant to Federal Rule of Civil Procedure 50(a),
11 defendant Avadel CNS Pharmaceuticals, LLC, respectfully
12 requests that the Court grant judgment as a matter of law.
13 Jazz has been fully heard on the issues of direct
14 infringement and damages and has failed to meet its burden.
15 Because there's no legally sufficient evidentiary basis for
16 a reasonable jury to find for Jazz on these issues, the
17 Court should grant judgment as a matter of law. Judgment as
18 a matter of law is appropriate, when, quote, "a party has
19 been fully heard on an issue during a jury trial and a court
20 finds that a reasonable jury would not have a legally
21 sufficient evidentiary basis to find for the party on that
22 issue," closed quote. F.R.C.P 50(a)(1).

23 When an expert opinion is not supported by
24 sufficient facts to validate it in the eyes of the law or
25 when indisputable record facts contradict or otherwise

1 render the opinion unreasonable, it cannot support a jury's
2 verdict. *Brooke Group Limited v. Brown & Williamson Tobacco*
3 *Corp.*, 509 U.S. 209, 242 (1993). Defendant Avadel moves for
4 judgment as a matter of law on direct infringement of
5 Claims 7 and 11 of the '488 patent. Jazz has failed to
6 present evidence that would allow a reasonable juror to
7 conclude that the accused Lumryz product literally infringes
8 either Claims 7 or 11 of the '488 patent.

9 Instead, the evidence confirms that Lumryz lacks
10 a core comprising at least one pharmaceutically active
11 ingredient selected from gamma-hydroxybutyrate and
12 pharmaceutically acceptable salts of gamma-hydroxybutyrate,
13 as required by the asserted Claims 7 and 11 of the '488
14 patent.

15 In particular, the evidence unequivocally
16 established that Lumryz comprises an inert or neutral core
17 that does not contain GHB or any other drug.

18 In addition, Lumryz does not exhibit a
19 sustained-release dissolution profile as required by the
20 claims when tested in a Dissolution Apparatus 2 in deionized
21 water at a temperature of 37 degrees and a paddle speed of
22 50 rpm.

23 Avadel also moves for judgment as a matter of
24 law on the issue of damages. Jazz has not offered a legally
25 sufficient evidentiary basis for the jury to accept the

1 royalty identified by Jazz's expert or established the
2 royalty rate it seeks is reliable and supported by tangible
3 evidence. In reaching his proposed royalty rate, Jazz's
4 damages expert failed to properly apportion damages between
5 the patents-in-suit and unrelated Jazz patents and between
6 the patents-in-suit and Avadel's contribution.

7 For the reasons set forth, Avadel requests the
8 Court enter judgment as a matter of law on the issues of
9 infringement and damages.

10 THE COURT: All right. Let me hear from Jazz.

11 MR. CERRITO: Good morning, Your Honor.

12 Obviously Jazz opposes the motion. On the issue
13 of the infringement with regard to the two claim elements
14 that are still remaining, the core and the DI water
15 dissolution profile, the Court and the jury heard
16 substantial evidence, if not dispositive evidence, of
17 infringement of both of those elements. Remind the Court
18 that all other elements are admitted to the Court, so only
19 those two outstanding.

20 With regard to the core issue, Dr. Little
21 presented significant evidence. The patent -- the '062
22 patent of Avadel's discloses in tables 1a and 1b exactly the
23 structure of the product and exactly the fact that the core
24 exists from the IR microparticles and the modified-release
25 particles. He also walked the Court and the jury through

1 the SEC filing where both the structures of the core is
2 described in Legrand and the Micropump technology that
3 Defendants are relying upon, where both those structures
4 were disclosed to the United States Securities and Exchange
5 Commission.

6 With regard to the testimony that you heard from
7 Mr. Vaughn, Avadel's 30(b)(6) witness, he admitted that. He
8 admitted infringement. I am going to quote from you the --

9 "Question: The core of the MR microparticles is
10 the IR microparticles? That's what Avadel told the patent
11 office in the '616 application that led to the
12 patent-in-suit, the patent that we have been talking about?

13 "ANSWER: That's what it says, yes."

14 That's an admission.

15 Along with the actual admissions from -- that we
16 read into the record with Dr. Little, those three provide
17 substantial evidence of infringement, if not dispositive
18 evidence of infringement, but certainly at least enough
19 evidence that it can be sent to the trier of fact.

20 With regard to the DI water limitation, Dr.
21 Little provided substantial testimony in evidence. He
22 walked through the patents and showed where all that
23 information concerning the DI water testing profile existed
24 in their patent. Dr. Guillard testified that he had done
25 three tests in DI water, averaged that information --

1 averaged the numbers out, and also presented it in their
2 patent. That's an admission.

3 A reasonable jury could find for Plaintiffs on
4 all counts.

5 With regard to damages, which is obviously
6 unopposed, he provided -- Dr. Rainey provided sufficiently
7 legal basis to establish -- for his theory. He did
8 apportion and said any one of the claims could justify the
9 reasonable royalty, so that is an apportionment and provided
10 sufficiently legal basis for the jury to find on behalf of
11 the plaintiff; therefore, we oppose.

12 THE COURT: All right. Let me take a short
13 recess to collect my thoughts on these issues.

14 (Recess taken.)

15 THE COURT: All right. Be seated.

16 First, I'm going to deal with the JMOL motions,
17 Rule 50 motions.

18 Avadel claims -- Avadel is moving for judgment
19 as a matter of law under Federal Rules of Civil Procedure 50
20 on two bases: First, Avadel claims that there is not
21 sufficient legal basis for a reasonable jury to find for
22 Jazz on the issue of direct infringement.

23 Second, Avadel claims that there is not
24 sufficient legal basis for a reasonable juror to find for
25 Jazz on the issue of damages.

1 With respect to Avadel's motion for judgment as
2 a matter of law on direct infringement, Avadel claims that
3 Jazz has failed to present sufficient evidence for a
4 reasonable jury to find in Jazz's favor on direct
5 infringement of Claim 7 and Claim 11 of the '488 patent.
6 Avadel claims that there is sufficient evidence that Lumryz
7 meets -- or has satisfied the core limitation and/or the
8 deionized water limitation.

9 Jazz responds that there has been sufficient
10 evidence presented that Lumryz meets the core limitation and
11 the deionized water limitation for a reasonable jury to find
12 in favor of Jazz on direct infringement of Claims 7 and 11
13 of the '488 patent, including, Jazz points to, testimony of
14 Dr. Steven Little, admissions by Avadel in this action,
15 testimony by Dr. Guillard, and other purported evidence.

16 Thus viewing the evidence in the light most
17 favorable to Jazz as the nonmoving party and giving Jazz the
18 benefit of all reasonable inferences, the Court finds there
19 is sufficient evidence of record to support a jury verdict
20 in favor of Jazz on direct infringement. There are material
21 issues of fact that have to be resolved by the jury with
22 respect to the core limitation and the deionized water
23 limitation and other issues on the claim.

24 With respect to Avadel's motion for judgment as
25 a matter of law on damages, Avadel claims that Jazz has not

1 offered a legally sufficient evidentiary basis for a jury to
2 accept the royalty identified by Jazz's damage's expert,
3 Dr. Rainey, or that the royalty rate that Jazz seeks is
4 reliable and supported by tangible evidence, and that
5 Dr. Rainey, Jazz's expert, failed to apportion damages
6 between the patents-in-suit and Jazz's unrelated patents.
7 And failed to account for or apportion Avadel's
8 contributions to the patents-in-suit.

9 Jazz responds that Dr. Rainey did provide a
10 sufficient legal basis to support his damages theory,
11 including his opinion on the reasonable royalty, and did
12 properly apportion because he testified that any one of the
13 claims could justify the reasonable royalty.

14 Having considered the parties' motion and
15 response, and viewing the evidence in the light most
16 favorable to Jazz as the nonmoving party and giving Jazz, as
17 the nonmovant, the benefit of all reasonable inferences,
18 there is sufficient evidence of record to support a jury
19 verdict in favor of Jazz on the issue of damages.

20 Again, there are material issues of fact that
21 remain for the jury to decide. For those reasons, the Court
22 hereby denies Avadel's JMOL motions on direct infringement
23 and damages.

24 Moving on to the issue of -- going back to the
25 issue of the objection, Jazz's objection to the designations

1 of Scott Bura, on pages 65, lines 22-23; page 66, line 3;
2 and page 115, lines 2-10, Mr. Bura -- I read the transcript
3 and I see that Mr. Calvosa stressed that Mr. Bura was not
4 testifying as a 30(b)(6) witness and is testifying in his
5 personal capacity.

6 Thus, the questions asked of him, the first
7 question, "Did you take any action to attempt to stop Jazz
8 from copying the Avadel claims," and his answer, "I did
9 not" -- although there was an objection to lack of
10 foundation, since he was testifying in his personal
11 capacity, he knows whether or not he took any action, he has
12 personal knowledge. Thus, the objection is overruled.

13 Same with respect to line 15, lines 2-10. So
14 overruled.

15 All right. So we've dealt with the issues.
16 Let's get the jury.

17 (Whereupon, the jury entered the room.)

18 THE COURT: Ladies and gentlemen of the jury,
19 good morning. Sorry for the delay. The Court had to deal
20 with some issues before we brought you in.

21 MR. CERRITO: Good morning, Your Honor.

22 Plaintiffs rest their case.

23 THE COURT: All right. Plaintiff has rested its
24 case. Next we go to defendant Avadel's case.

25 MR. BRAUSA: Good morning, Your Honor. Good

DIRECT EXAMINATION - BRUCE CORSER

1 morning. Adam Brausa for Avadel.

2 As our first witness, we call Dr. Bruce Corser
3 to the stand.

4 THE COURT: Dr. Corser, please take the stand.

5 BRUCE CORSER, having been called on the part and
6 behalf of the Defendant as a witness, having first affirmed
7 to tell the truth, testified as follows:

8 DIRECT EXAMINATION

9 BY MR. BRAUSA:

10 Q. Good morning, Doctor. Could you introduce yourself
11 to the jury, please.

12 A. Hi. I'm Dr. Bruce Corser. I'm an internist, sleep
13 doctor, and sleep researcher located in Cincinnati, Ohio.

14 Q. Thank you.

15 Can you tell us what a sleep doctor or sleep
16 researcher does?

17 A. So as a sleep doctor, I see people with a wide
18 variety of sleep disorders, such as sleep apnea, narcolepsy,
19 insomnia. As a sleep researcher, I conduct a lot of
20 research with the pharmaceutical and device industries to
21 test new products.

22 Q. Outside of this case, have you ever worked for Avadel
23 or Jazz before?

24 A. Yes, I have. I have conducted research for both
25 Avadel and Jazz.

DIRECT EXAMINATION - BRUCE CORSER

1 Q. How long have you been a sleep doctor?

2 A. I have been involved in sleep medicine since 1989.

3 Q. And what's your formal training to be a sleep doctor?

4 A. So my training, I went to medical school at -- in
5 Syracuse. I'm from Upstate New York. And then I went to
6 University of Cincinnati for residency and fellowship
7 training. After fellowship training, I became involved in
8 sleep medicine and was board certified in sleep medicine in
9 1995.

10 Q. As a researcher, how do you stay up to date on
11 developments in sleep science?

12 A. So I conduct a lot of research with pharmaceutical
13 companies, so I see new medications long before they're
14 approved. In addition, I attend meetings, I read a lot of
15 journals. So, yeah, I have been involved in sleep medicine
16 for many years.

17 Q. Are you familiar with oxybate therapies for the
18 treatment of narcolepsy?

19 A. Yes, I am.

20 Q. How are you familiar with them?

21 A. I have been prescribing oxybate therapies since 2002
22 to patients, so I'm familiar with oxybates.

23 Q. Currently, which oxybate therapies do you prescribe
24 to patients?

25 A. Xyrem, Xywav, and Lumryz, all three.

DIRECT EXAMINATION - BRUCE CORSER

1 MR. BRAUSA: Your Honor, at this time Avadel
2 proffers Dr. Corser as an expert in sleep disorders and
3 oxybate therapies.

4 MR. BRIER: No objection, Your Honor.

5 THE COURT: All right. Dr. Corser may testify
6 as an expert in sleep disorders and oxybate therapies.

7 BY MR. BRAUSA:

8 Q. Now, Dr. Corser, you have been in court for portions
9 of the past two days, correct?

10 A. Correct.

11 Q. And we've heard narcolepsy talked about quite a bit,
12 but I wanted to start, what causes it?

13 A. So ordinarily in our brains there's about 20,000
14 cells that produce a substance called orexin, and this is
15 the main neurochemical in our brains that governs sleep and
16 wakefulness. And people with a -- the major cause or type
17 of narcolepsy, there's a deficiency of these cells in the
18 brain.

19 It's thought that the deficiency of these cells
20 in the brain is due to autoimmune destruction of these
21 cells. So in other words, the immune system attacks these
22 cells in the brain, resulting in a deficiency in the main
23 neurochemical that governs sleep and wakefulness.

24 Consequently, people with narcolepsy are very
25 sleepy, they are sleepy during the day and they have very

DIRECT EXAMINATION - BRUCE CORSER

1 disrupted sleep at night. So -- and narcolepsy is a chronic
2 incurable disease, typically it requires a lifetime of
3 medication.

4 Q. When you say people with narcolepsy have "disrupted
5 sleep," does that mean they are waking up multiple times a
6 night?

7 A. It means that when we measure their brain wave
8 activities, we see multiple disruptions in their sleep
9 throughout the entire night, as compared to people who don't
10 have narcolepsy. So this leads to severe daytime
11 sleepiness.

12 Q. And how do you figure out if someone has narcolepsy?

13 A. Well, it's based on history, so we talk to the
14 patient. And then we do testing, typically an overnight
15 sleep study, followed by a series of naps the next day. And
16 there's specific diagnostic criteria for the diagnosis of
17 narcolepsy.

18 Q. How long typically does it take to figure out if
19 someone has narcolepsy?

20 A. Well, unfortunately, between the onset of symptoms
21 and the time of diagnosis, there may be a lag of 8 to
22 10 years. So, unfortunately, many people are not diagnosed
23 promptly.

24 Q. And for those people who aren't diagnosed promptly,
25 how does that affect their day-to-day life?

DIRECT EXAMINATION - BRUCE CORSER

1 A. It's devastating. These people are thought to be
2 lazy or -- you know, they -- they're -- and they don't
3 realize that they've got a serious condition because they
4 think that's normal for them. So often, you know, there's
5 this very long lag between the onset of symptoms and
6 diagnosis, so we commonly see this.

7 Q. Beyond daytime sleepiness, are there any other
8 symptoms of narcolepsy?

9 A. So the most common form of narcolepsy is called
10 narcolepsy type 1, so these people have very disrupted sleep
11 at night and excessive sleepiness. And they have a
12 condition called cataplexy.

13 Q. Can you tell us what cataplexy is?

14 A. Yes, so cataplexy is transient, that is brief
15 episodes of muscle weakness, typically precipitated by
16 emotional stimuli. So people with cataplexy may have muscle
17 weakness manifesting as drooping eyelids. The head may
18 drop. If somebody is holding something in their hand, they
19 may drop it. If their -- their knees may get weak, the
20 knees may buckle.

21 So these are some of the manifestations of
22 cataplexy. And this can be very disruptive to people's
23 lives, very bothersome. So some very troublesome symptoms.

24 Q. Have any of your patients suffered from cataplexy?

25 A. So I've got about several hundred patients in my

DIRECT EXAMINATION - BRUCE CORSER

1 total sleep practice that have narcolepsy, and I would say
2 probably less than a third actually have cataplexy.

3 Q. Okay. So once you're diagnosed with narcolepsy,
4 overall, what are the treatment options?

5 A. So the treatment for narcolepsy is typically twofold.
6 One is that we prescribe medication at night that helps
7 address the disrupted sleep, so -- and one of those
8 therapies is oxybate.

9 And then there's the medicine we prescribe
10 during the day to help people stay awake, so these are
11 called wake-promoting medications. Or in some cases, we use
12 stimulants, like Ritalin or Adderall, to help people stay
13 awake.

14 And usually we often use a combination of both
15 things, such as medicine at night as well as -- and medicine
16 during the day to help people stay awake.

17 Q. If we think about oxybate therapies, why are those
18 different than just traditional sleeping pills?

19 A. So oxybate therapy results in a dramatic increase in
20 deep restorative sleep, it increases deep sleep by about
21 40 percent, so it's really a unique medication. And it
22 addresses all of the symptoms of narcolepsy; it helps the
23 excessive daytime sleepiness, it helps the cataplexy, and it
24 helps the disruptive nocturnal sleep, so it addresses all
25 three aspects of narcolepsy.

DIRECT EXAMINATION - BRUCE CORSER

1 Q. As a sleep doctor with 35 years of experience, do you
2 have an opinion as how oxybate therapies overall compare to
3 the other available therapies for narcolepsy?

4 A. In my opinion, oxybate therapy is the single most
5 effective treatment for the symptoms of narcolepsy.

6 Q. Do you know how many people in the United States are
7 diagnosed with narcolepsy, but not taking oxybate therapies?

8 A. So to give you a rough idea, there's probably about
9 200,000 people in this country that have narcolepsy. Only
10 about 16,000 of those people receive oxybate therapy. So
11 oxybate therapy is greatly underutilized. It's a very
12 effective treatment, but it's, unfortunately, very
13 underutilized for treatment of narcolepsy.

14 Q. Do you have any views as to why that's the case?

15 A. So part of it is the fact that up until recently
16 there's been a -- only -- the only option has been
17 twice-nightly treatment option; that is, people have to take
18 one dose of oxybate at bedtime and a second dose two and a
19 half to four hours later. So this has not been appealing,
20 either for doctors or for patients.

21 So imagine having to wake up every night for the
22 rest of your life to take a second dose of medication.

23 Q. You mentioned that you currently prescribe Lumryz?

24 A. Yes, I do.

25 Q. As a sleep doctor, do you believe that having Lumryz

DIRECT EXAMINATION - BRUCE CORSER

1 available as an option for patients could benefit patients
2 that are not currently taking oxybate?

3 A. I do.

4 Q. Why is that?

5 A. So people like to have options, so it's nice to
6 have -- when you go to a physician, it's nice to have
7 various options. As a doctor, I would be remiss if I don't
8 as least present to patients all of the options that are
9 available to them.

10 So, you know, up until recently, Lumryz was not
11 available. But since it has been available, we have been at
12 least mentioning it as a treatment option for patients.

13 Q. From your perspective, are there any benefits that
14 Lumryz might provide to some patients?

15 A. Well, there's a couple. One is that the medicine
16 that is prescribed a more frequent -- a more frequent
17 regimen; in other words, you have to take medicine two or
18 three times a day. People are less adherent to that regimen
19 as compared to a once-daily or once-nightly regimen. So
20 that's one.

21 And then, you know, when we treat narcolepsy,
22 the goal of therapy is to allow people to sleep well through
23 the night, sleep uninterrupted. So we want to decrease the
24 number of awakenings and arousals during the night.

25 Having to wake up to take a second dose of

DIRECT EXAMINATION - BRUCE CORSER

1 medication is contrary to what we're trying to achieve with
2 treatment. So a once-nightly regimen decreases or
3 eliminates this forced awakening in the middle of the night.
4 So for many patients, it is an attractive treatment option.

5 Q. Do you know whether the FDA has weighed in on Lumryz
6 as compared to other oxybate therapies?

7 A. Yes.

8 Q. Do you know what the FDA's conclusion was?

9 A. So the FDA deemed Lumryz superior to Xyrem and Xywav
10 based on the fact that it's a -- represents a major
11 contribution to patient care. And the fact that it's a
12 once-nightly dosing regimen compared to twice-nightly dosing
13 regimen.

14 Q. As a sleep doctor, do you agree with the FDA's
15 decision?

16 A. Yes, I do.

17 Q. Now, you've heard that Xyrem has higher sodium than
18 Xywav, correct?

19 A. Correct.

20 Q. And you're familiar with the sodium content of Xyrem,
21 Xywav, and Lumryz?

22 A. Yes, I am.

23 Q. Xyrem and Lumryz have the same amount of sodium?

24 A. Correct.

25 Q. Xyrem has been on the market since 2002?

DIRECT EXAMINATION - BRUCE CORSER

1 A. That's correct.

2 Q. And I think you just testified that you have been
3 prescribing it since then?

4 A. That's right.

5 Q. Do you know whether there's any data from patients
6 taking Xyrem over the past 20 years on the incidence of
7 cardiovascular disease or other disease states linked to
8 high sodium?

9 A. There is no evidence that sodium oxybate increases
10 the risk of cardiovascular disease or hypertension. This is
11 based on a number of studies, randomized studies, controlled
12 studies, long-term safety studies. There was one study --
13 for example, there was one study published in the American
14 Journal of Sleep Medicine, it's -- so there's a -- the
15 author was Wang, and they looked at 26,000 people that were
16 taking sodium oxybate and followed them over six years. The
17 incidence of -- or the prevalence of hypertension in that
18 population was 0.4 percent.

19 So that's just one study, but there are many
20 studies that have shown that there's been no increased risk
21 for cardiovascular disease in people taking oxybate therapy.

22 Q. When the FDA reached its decision about Lumryz, did
23 it consider the sodium content of Lumryz as compared to
24 Xywav?

25 A. Yes, they did.

DIRECT EXAMINATION - BRUCE CORSER

1 Q. What was the FDA's conclusion?

2 A. They concluded that the benefit from a once-nightly
3 regimen for treatment of the symptoms of narcolepsy
4 outweighed the potential risk of excess sodium.

5 Q. Why did the FDA reach that conclusion?

6 A. Based on the long-term data available regarding
7 sodium oxybate and no evidence of increased cardiovascular
8 risk.

9 Q. And just to be clear, the FDA also sets the
10 recommended daily allowance for sodium, correct?

11 A. Yes. The FDA recommends that people consume less
12 than 2,300 milligrams, or 2.3 grams of sodium daily. That's
13 really sort of an aspirational goal.

14 Q. Do you agree with the FDA's conclusion regarding the
15 sodium content of Lumryz as it compares to Xywav?

16 A. Lumryz contains -- the maximum dose of Lumryz
17 contains about 1.6 grams of sodium, whereas Xywav contains
18 92 percent less sodium than Lumryz. So there is less sodium
19 in Xywav as compared to Lumryz.

20 To give you some context, only 5 percent of the
21 population in this country consumes on average less than
22 2,300 milligrams of sodium daily, only 5 percent. The
23 average sodium consumption in this country is 3.6 grams of
24 sodium.

25 And 75 percent of the sodium we consume is in

CROSS-EXAMINATION - BRUCE CORSER

1 packaged foods. So unless you prepare all your food at
2 home, you're going to consume around, you know, 3.6 grams of
3 sodium daily. So it's very difficult to achieve a goal of
4 less than 2,300 milligrams of sodium. 80 percent of the
5 civilized world consumes between 2.3 grams and 4.6 grams of
6 sodium daily.

7 Q. Thank you.

8 MR. BRAUSA: Pass the witness.

9 THE COURT: All right.

10 Cross-examination.

11 CROSS-EXAMINATION

12 BY MR. BRIER:

13 Q. Good morning, Dr. Corser.

14 A. Good morning.

15 Q. My name is Gabe Brier. I represent the plaintiff,
16 Jazz Pharmaceuticals, in this case.

17 Dr. Corser, you're aware that the FDA-approved
18 label for Lumryz contains a warning regarding high sodium
19 content in Lumryz, correct?

20 A. Correct.

21 Q. And that warning says to monitor patients with heart
22 failure, hypertension, or impaired functions, correct?

23 A. So -- yes, it was heart failure, impaired renal
24 function, and hypertension.

25 Q. And the FDA saw fit that Avadel should include that

CROSS-EXAMINATION - BRUCE CORSER

1 sodium warning on the label for Lumryz, correct?

2 A. Correct.

3 Q. And, Dr. Corser, I believe earlier you said that
4 options for sodium oxybate are a good thing, right?

5 A. Can you repeat that?

6 Q. Oh, sorry. I believe you said earlier that options
7 for oxybate products are a good thing?

8 A. So, yeah, there's several options for oxybate therapy
9 now, the Xyrem, Xywav, and Lumryz.

10 Q. And do you believe it's good to have those options?

11 A. Yes, I do.

12 Q. Okay. I agree with you on that.

13 And you're aware that this is a patent
14 infringement lawsuit?

15 A. Yes, I am.

16 Q. You're not offering any opinions on whether the
17 patents in this case are valid or not, are you?

18 A. No.

19 Q. You're not offering any opinions in this case
20 regarding whether the named inventors on Jazz's patents are
21 proper, are you?

22 A. No.

23 Q. And you're not offering any opinions regarding
24 whether Avadel infringes the patents in this case, are you?

25 A. No.

DIRECT EXAMINATION - CLAIRE MÉGRET

1 Q. Don't you think that if Avadel is infringing Jazz's
2 patents, then Jazz should be fairly compensated for that
3 infringement?

4 MR. BRAUSA: Objection, lacks foundation.

5 THE WITNESS: I have no opinion on that, I'm not
6 a patent lawyer, I'm a doctor.

7 THE COURT: So --

8 MR. BRIER: No further questions, Your Honor.

9 THE COURT: All right.

10 MR. BRAUSA: No redirect.

11 THE COURT: All right. Dr. Corser, you may step
12 down. Thank you, sir.

13 MS. DURIE: Good morning, Your Honor. And good
14 morning, ladies and gentlemen of the jury, Daralyn Durie for
15 Avadel. We call Dr. Claire Mégret to the stand.

16 Your Honor, may I approach the witness?

17 THE COURT: Yes.

18 CLAIRE MÉGRET, having been called on the part
19 and behalf of the Defendant as a witness, having first
20 affirmed to tell the truth, testified as follows:

21 DIRECT EXAMINATION

22 BY MS. DURIE:

23 Q. Very good. Thank you, and good morning. Could you
24 please introduce yourself to the jury?

25 A. My name is Claire Mégret.

DIRECT EXAMINATION - CLAIRE MÉGRET

1 Q. Can you pull the microphone just a little bit closer
2 to yourself? The microphone a little closer?

3 A. (Complies.) Like that?

4 Q. Perfect, thank you.

5 Where do you currently live?

6 A. I live in Lyon, in France.

7 Q. Now, I see we have a translator here, are you able to
8 testify in English?

9 A. I will try to testify in English.

10 Q. Can you describe your educational background for us.

11 A. Um, so I have a master's in physics and chemistry
12 from the ESPCI, which is a institute of technology in Paris.
13 I also have a PhD in theoretical physical chemistry.

14 Q. Did you used to work at Flamel?

15 A. Yes.

16 Q. When did you work at Flamel?

17 A. I worked from 9 -- 2005 until June 2015.

18 Q. And what was your role at Flamel?

19 A. I was a pharmacokinetics scientist.

20 Q. What is pharmacokinetics?

21 A. Pharmacokinetics is the study of how the drug acts in
22 the body, a great definition is what's the body doing to a
23 drug after it's administered?

24 Q. Is that sometimes called "PK"?

25 A. Yeah.

DIRECT EXAMINATION - CLAIRE MÉGRET

1 Q. So can you give us a real-world example of PK or
2 pharmacokinetics?

3 A. When you're taking drugs, it's PK all the time. For
4 example, with Tylenol, so it's a famous drug, you -- when
5 you have a fever, pain, you have to take two pills, not one,
6 not four, two. And you have to wait 6 hour before the
7 second dose and that's, that's PK.

8 Q. Now, what was your contribution to the development of
9 Lumryz?

10 A. I was involved in different steps. First, I was in
11 charge of selecting sodium oxybate as an interesting
12 treatment to be improved. Then I was also the PK scientist
13 on the project doing all the PK stuff. I was also involved
14 in the clinical studies, especially the early clinical
15 studies, I was part of the design and the results.

16 And finally, I was also involved in the design
17 of the Phase III study, which is a study where you
18 demonstrate the efficacy of the product.

19 Q. How did Flamel decide to work on sodium oxybate?

20 A. So at Flamel, Flamel gives lists of a compound of
21 potential interest to my group, the PK group, and I was in
22 charge of sodium oxybate, so I look at the molecule, look at
23 the treatment, I saw that the patient, to have a good night,
24 would be obliged to wake up during the night to have a
25 second dose. So I rapidly see that there was an interest

DIRECT EXAMINATION - CLAIRE MÉGRET

1 to, a need to improve the treatment.

2 Q. Now, if you could please turn in your binder to
3 JTX98.

4 A. 90 --

5 Q. 98. 9, 8.

6 A. Thank you.

7 Q. What is Exhibit 98?

8 A. So it's the slides for technical meetings of the
9 FT218 project.

10 MS. DURIE: We offer Exhibit JTX98.

11 MR. CALVOSA: No objection, Your Honor.

12 THE COURT: JTX98 is admitted.

13 (Exhibit admitted.)

14 MS. DURIE: And if we could please publish
15 JTX98.

16 BY MS. DURIE:

17 Q. We see in the lower right-hand corner, it's a little
18 bit hard to read but there's a September 13th, 2012, date
19 there.

20 What was the status of the project in
21 September of 2012?

22 A. Yeah. So as I explained, there was a -- several
23 step. First, it was the work on the sodium oxybate to see
24 if it has an interest to be improved, if it can be improved.

25 Then after Flamel decided to translate this work

DIRECT EXAMINATION - CLAIRE MÉGRET

1 into a real project, then they give it this number, 218.

2 Then after there was the kickoff of the
3 meeting -- of the project, sorry. And then after, there was
4 this technical meeting, it's one of the first technical
5 meetings, I think.

6 Q. And if we could please go to JTX98.18.1.

7 A. (Complies.)

8 Q. And if you take a look at the slide here, the caption
9 at the top says, "PK Target."

10 Can you explain what "PK target" means?

11 A. So, to improve the treatment, I defined what should
12 be the target in pharmacokinetics in order to have a
13 once-nightly dose which is safe and efficient.

14 Q. And -- sorry.

15 A. Sorry, so it's a slide that explains that.

16 Q. And there's a bullet point underneath that that says:
17 "The Micropump profile after 4.5-gram single administration
18 should be close to IR profile after two times 2.5-gram
19 doses..." and then you talk about the second dose, from 2.5
20 to 4 hours after the first.

21 What were you referring to here?

22 A. Yeah, I say that to be safe and efficient, so
23 one-nightly dose should have some specificity, PK
24 specificity that are similar to this -- this
25 immediate-release treatment in order to be sure -- that --

DIRECT EXAMINATION - CLAIRE MÉGRET

1 that it works.

2 Q. And --

3 A. Sorry.

4 Q. Go ahead.

5 A. If I go into the details, there is mainly four
6 features, PK features that I wanted for this PK target
7 profile.

8 So the first one is -- that I wanted, that --
9 just after administration, deliver of sodium oxybate in the
10 bloodstream, just after administration, goes up quite
11 rapidly in order for the patient to fall asleep rapidly.

12 A second feature is that I wanted to have a PK
13 profile for the once-nightly treatment with level not too
14 high. I mean, if the level in the bloodstream is too high,
15 it can be toxic.

16 So I said the immediate-release is not toxic so
17 I want to limit not too high than the maximum concentration
18 in the treatment I want to improve.

19 The third feature was regarding efficacy, so in
20 order to be efficacious, I needed in my PK target, a minimum
21 concentration, so level of sodium oxybate in the bloodstream
22 quite high. So high as the minimum concentration that I
23 have with two-dose treatment.

24 And the last feature is regarding the
25 concentration at 8 hour. 8 hour after administration, it's

DIRECT EXAMINATION - CLAIRE MÉGRET

1 a moment of the morning, the patient has to wake up and at
2 this time, he needs to be fully functional, not sleepy, so I
3 knew that I wanted very low concentration at one -- at 8
4 hour after administration.

5 And these four features were my PK target, it's
6 how I designed this target.

7 Q. Now, if we could go to slide --

8 MR. CALVOSA: I'm not sure Ms. Durie is calling
9 for a narrative, but she got one, if we could just have more
10 question and answer.

11 THE COURT: Understood.

12 Ms. Durie, be sensitive to that going forward.

13 MS. DURIE: I will endeavor that, Your Honor.

14 If we could go to slide 20, please.

15 BY MS. DURIE:

16 Q. And there's a slide here that says, "Pharmacokinetics
17 of GHB."

18 First, what are we looking at?

19 A. Here, it's a scheme of everything that is important
20 to understand the PK of sodium oxybate.

21 Q. And so I'm going to walk you through it and let's
22 start at the top where it says, GIT."

23 What does that stand for?

24 A. So the square of the part of the body, which are
25 really important, in the case of this molecule, of this

DIRECT EXAMINATION - CLAIRE MÉGRET

1 drug. And the arrow, the arrows are the pathway the drug
2 can take. And the drug can only go in the -- in the -- in
3 this pathway, it's a sense of the arrow.

4 Q. And when it says, at the top, "GIT," what does that
5 stand for?

6 A. It means intestine.

7 Q. So when you have the dose and the arrow going into
8 GIT, what are you showing there?

9 A. I show the absorption of the drugs, the moment the
10 patient takes the drug.

11 Q. And then what happens after the drug goes into the
12 intestine in this model?

13 A. So it goes to the liver first and then in the
14 bloodstream.

15 Q. And --

16 A. And --

17 Q. What was significant to you, if anything, about the
18 fact that the drug passes through the liver?

19 A. It's very, very important because in the liver,
20 sodium oxybate is eliminated, the moment the drug clears,
21 cleared from the body, it's in the liver. So it's very
22 important because before reaching the bloodstream and before
23 being efficient, it's eliminated. It's such liver
24 elimination here.

25 Q. And what implications did that have for you in

DIRECT EXAMINATION - CLAIRE MÉGRET

1 constructing your model?

2 A. It's really important in the way I understood the
3 molecule and I designed the target release profile. Because
4 to be efficient, sodium oxybate has to go to the plasma and
5 it has to overcome the liver. So I knew that I need very
6 big wave of sodium oxybate to be administered in order to
7 overcome this -- the liver and the elimination and reach the
8 bloodstream and then you can see efficacy because of the
9 liver in the bloodstream.

10 Q. Let's now turn to JTX213 in your binder.

11 A. (Complies.)

12 Q. What is JTX213?

13 A. It's another meeting a week later.

14 MS. DURIE: We offer JTX213.

15 MR. CALVOSA: No objection, Your Honor.

16 THE COURT: JTX213 is admitted.

17 (Exhibit admitted.)

18 MS. DURIE: And if we could please publish
19 213.13.

20 BY MS. DURIE:

21 Q. At the bottom of this slide, there's a reference to
22 three different targets. Can you explain to us what those
23 different targets are?

24 A. So here, there is different points. So target 1 and
25 target 2 are my proposal for a target release profile for

DIRECT EXAMINATION - CLAIRE MÉGRET

1 this once-nightly product. And in brackets, i.e., opposite
2 what Jazz presented in their presentation, which is a
3 different approach.

4 Q. Now, when you say this is a "pulsatile profile," what
5 did you mean by "pulsatile"?

6 A. So for this target 1, I wanted to have two -- two of
7 the overnight dose in two wave; one wave is like
8 immediate-release for the beginning of the night, and the
9 second way should be delayed and should be, as I say, very
10 rapid to overcome the liver and to cover the end of the
11 night, so it's my target 1.

12 Q. Now, you can take that down.

13 If we go up to the top, there's a reference for
14 requirements for the clinical study. What was the clinical
15 study that was being proposed here?

16 A. So for the clinical study, I proposed to test the --
17 some -- these targets, of course. I recall some of the
18 feature I wanted to -- for the target PK profile, in order
19 to say yes, it works, or it doesn't work.

20 And I write on this that I wanted this study to
21 be conducted in therapeutic condition, and that was so very
22 important.

23 Q. Let me ask you, why was it important to you to
24 conduct that study under therapeutic conditions?

25 A. Sodium oxybate, PK, is also influenced by food. It

DIRECT EXAMINATION - CLAIRE MÉGRET

1 means that if you take sodium oxybate near -- just after a
2 meal or near a meal, the concentration in the bloodstream
3 will decrease. The patient has to take this drug at bedtime,
4 so it's overall -- it's basically, like, two hour after
5 their last meal. And so in this condition, called post-fed,
6 you observed lower level in the bloodstream that in other
7 condition, which are called fasted. In fasted, you have
8 high level of drug that are observed, but it's not realistic
9 for the patient.

10 And in this study, I wanted to be able to
11 conclude, yes, it works or it don't works -- it doesn't
12 work. So I wanted this realistic condition, the realistic
13 condition in order to be able to conclude.

14 Q. Could you please turn in your binder to JTX214.

15 What is JTX214?

16 A. It's a presentation of project FT218.

17 MS. DURIE: We offer JTX214.

18 MR. CALVOSA: No objection, Your Honor.

19 THE COURT: JTX214 is admitted.

20 (Exhibit admitted.)

21 MS. DURIE: And please publish slide 11,

22 214.11.1.

23 BY MS. DURIE:

24 Q. And what do we see on this slide, Dr. Mégret?

25 A. So from my target of release, I give that to Hervé

DIRECT EXAMINATION - CLAIRE MÉGRET

1 and he has to translate that to do formulation, and that are
2 the three formulation we are -- we were testing in the first
3 clinical study.

4 The CR type 1 and CR type 2 are relative to the
5 target 1 I presented before. And the CR type 3 is my target
6 2.

7 Q. And if we now turn to slide 30, there is a comparison
8 with the Jazz CR tablet. Why were you comparing your
9 formulations with the Jazz controlled-release tablet?

10 MR. CALVOSA: Objection, Your Honor. This is a
11 relevancy objection. I'd be happy to explain at sidebar.

12 THE COURT: Okay.

13 (Whereupon, a discussion was held at sidebar as
14 follows:)

15 MR. CALVOSA: This is another one of those
16 questions where I have no problem with the question and the
17 story that she's telling, but she said they're comparing it
18 to Jazz's Cr profile; that's the sustained-release patents.
19 The sustained-release patents do not have any
20 pharmacokinetics claim elements. I just don't want the jury
21 to get confused on this comparison. She's saying it's
22 different, but this has nothing to do with deionized water.

23 MS. DURIE: I'm not going to suggest that it
24 does.

25 MR. CALVOSA: I know the jury is going to take

DIRECT EXAMINATION - CLAIRE MÉGRET

1 inference. If we could get the instruction we had
2 yesterday, I'd be fine with the testimony going forward.

3 THE COURT: Well, I don't think I even got what
4 you said; I doubt the jury is going to get that. So I don't
5 think there's a need for an instruction. If at some point
6 you think there is, we can readdress it.

7 MR. CALVOSA: Okay. As long as you didn't
8 understand it, Your Honor...

9 (Whereupon, the discussion held at sidebar
10 concluded.)

11 BY MS. DURIE:

12 Q. So, again, Dr. Mégret, why were you doing --

13 MS. DURIE: If we could put that back up.

14 BY MS. DURIE:

15 Q. -- why were we doing -- why were you doing this
16 comparison with the Jazz controlled-release tablet?

17 A. So first, on this slide, I presented the results of
18 our first clinical study, and so we see that there is
19 this -- the PK profile of the three prototypes, and I wanted
20 to have an idea to -- to see that -- if they were working or
21 not or so against the pattern of -- the pattern of Jazz's
22 results, even if in this -- in their patent, it was in the
23 fasted state, so it was not realistic, but it was to have an
24 idea.

25 And we see right on this figure that all

DIRECT EXAMINATION - CLAIRE MÉGRET

1 prototypes make -- met all the four features I have defined
2 to say, yes, it works when the Jazz results don't reach
3 these features. So I was concluding that all results were
4 absolutely better than that of Jazz results.

5 Q. Now, if we could please turn to slide 32.

6 There's a reference here to an ongoing clinical
7 trial. What were you describing on this slide?

8 A. So after the first study had these very good results,
9 we had conducted a second study with only two out of the
10 three prototypes. CR 2 and 3, and here is the of the -- of
11 the -- design of the study.

12 Q. And -- thank you.

13 And the CR 2 prototype that you're referring to
14 here, is that the one the company eventually picked to
15 develop further?

16 A. Yes.

17 Q. And which prototype was that from your original
18 prototypes?

19 A. It was the target 1.

20 Q. Now, if you could please turn to DTX1177 in your
21 binder. It is a press release.

22 A. DTX, sorry.

23 Q. 1177.

24 A. Thank you.

25 MS. DURIE: We offer DTX1177.

DIRECT EXAMINATION - CLAIRE MÉGRET

1 THE WITNESS: Yes.

2 MR. CALVOSA: No objection, Your Honor.

3 MS. DURIE: Thank you.

4 If we could publish 1177.

5 THE COURT: DTX1177 is admitted.

6 (Exhibit admitted.)

7 MS. DURIE: Sorry. Thank you.

8 BY MS. DURIE:

9 Q. What is 1177?

10 A. It's a press release that Flamel published to explain
11 the very good results of -- for the first clinical study and
12 also to say that we were doing this second clinical study.

13 Q. And when were those results published?

14 A. It's written April 7, 2014.

15 Q. Now, if we can please turn in your binder to DTX30.

16 A. Yes.

17 Q. What is DTX30?

18 A. It's one of my patent application.

19 MS. DURIE: We offer DTX30.

20 MR. CALVOSA: No objection, Your Honor.

21 THE COURT: DTX30 is admitted.

22 (Exhibit admitted.)

23 BY MS. DURIE:

24 Q. And if we can now turn to JTX260.

25 A. Yes.

DIRECT EXAMINATION - CLAIRE MÉGRET

1 Q. What is JTX260?

2 A. It's another of my patent application.

3 MS. DURIE: We offer JTX260.

4 MR. CALVOSA: I believe JTX260 is already in
5 evidence.

6 MS. DURIE: Oh.

7 MR. CALVOSA: But to the extent it's not,
8 there's no objection.

9 MS. DURIE: Very good.

10 Then if we could publish JTX260 in evidence.

11 BY MS. DURIE:

12 Q. And so, Dr. Mégret, this is a patent application on
13 which you were named as an inventor; is that right?

14 A. Yes.

15 Q. And if we could please go to pretreatment 260.109.2,
16 there is a table of results in your patent, table 18b. What
17 does this table show?

18 A. So this table show the concentration of the PK
19 profile of the test product -- so it's CR 2 -- in one of the
20 clinical study at different doses.

21 Q. And if we look down specifically at eight hours, what
22 do these numbers represent?

23 A. Sorry. Can you repeat the question?

24 Q. Yeah, if we look down specifically at eight hours at
25 the highlighted row, what do those numbers represent?

DIRECT EXAMINATION - CLAIRE MÉGRET

1 A. This number represents the concentration in the
2 bloodstream, so it is a plasmatic concentration in microgram
3 per mL, milliliter, of plasma.

4 Q. And what was the concentration after the 8-hour mark
5 for the 7.5-gram test product?

6 A. It's 19.7 microgram per mL.

7 Q. Now -- and for the 9-gram product, what was the
8 concentration?

9 A. The 25.5.

10 Q. And that's microgram per mL?

11 A. Yeah, microgram per mL. Sorry.

12 Q. And -- now, you're aware that FT218 was approved for
13 administration to narcolepsy patients by the United States
14 Food and Drug Administration?

15 A. Yes, yes.

16 Q. What was your reaction when you learned that it had
17 been approved?

18 A. I was very, very happy. Very happy for the patient
19 that they finally have the possibility to have a one-nightly
20 treatment. That's great. And personally, I was so very
21 proud to be part of that.

22 MS. DURIE: Thank you, Dr. Mégret.

23 Pass the witness.

24 THE COURT: All right. Cross-examination.

25 CROSS-EXAMINATION

CROSS-EXAMINATION - CLAIRE MÉGRET

1 BY MR. CALVOSA:

2 Q. Hello, Dr. Mégret. Good to see you again.

3 A. Hello.

4 Q. The testing that you just went through with the jury
5 and your counsel, that was not in vitro dissolution testing
6 in deionized water, right?

7 A. Which testing? The last example?

8 Q. All of the testing you just went through with your
9 counsel, it was not in vitro dissolution testing in
10 deionized water, right?

11 A. No. It was clinical results.

12 Q. So not in vitro dissolution testing in deionized
13 water, right?

14 A. No.

15 Q. All of the pharmacokinetic testing that you went
16 through with your counsel was done after March 24, 2011,
17 right?

18 A. March 24th -- March what?

19 Q. March 24th, 2011.

20 (Interpreter clarification.)

21 THE WITNESS: Yes, yes, sorry.

22 MR. CALVOSA: No worries at all.

23 THE WITNESS: -- repeat the number. Sorry.

24 MR. CALVOSA: No worries. Thank you.

25 THE WITNESS: Yes.

CROSS-EXAMINATION - CLAIRE MÉGRET

1 MR. CALVOSA: No further questions, Your Honor.

2 THE COURT: All right. Redirect?

3 MS. DURIE: No, Your Honor.

4 THE COURT: All right. Dr. Mégret, you may step
5 down. Thank you.

6 THE WITNESS: Thank you.

7 MS. DURIE: Your Honor, at this time we have
8 some exhibits simply to move into the record by agreement,
9 if I could just read the exhibit numbers.

10 THE COURT: All right.

11 MS. DURIE: It is DTX262, DTX410, DTX667,
12 DTX668, DTX672, DTX690, DTX692, DTX1366, DTX1426, DTX1518,
13 DTX1675, and JTX240.

14 THE COURT: All right.

15 MR. CALVOSA: And no objection, Your Honor.
16 Just so you know, the agreement was for convenience of the
17 witness. Mr. Allphin was allowed to return home early today
18 instead of coming back up just to identify the documents.

19 THE COURT: All right. DTX262, DTX410, DTX667,
20 DTX668, DTX762, DTX690, DTX692, DTX1366, DTX1426, DTX1518,
21 DTX1675, and JTX240 are all admitted.

22 (Exhibits admitted.)

23 THE COURT: All right. Avadel, you may call
24 your next witness.

25 MR. YUE: Good morning. Good morning, Your

DIRECT EXAMINATION - ALEXANDER KLIBANOV

1 Honor. Herman Yue for Avadel.

2 We call Alexander Klibanov to the stand.

3 THE COURT: All right. Dr. Klibanov, please
4 take the stand.

5 MR. YUE: May we proceed, Your Honor?

6 THE COURT: Yes.

7 ALEXANDER KLIBANOV, having been called on the
8 part and behalf of the Defendant as a witness, having first
9 affirmed to tell the truth, testified as follows:

10 DIRECT EXAMINATION

11 BY MR. YUE:

12 Q. Good morning, Dr. Klibanov.

13 A. Good morning.

14 Q. Could you please introduce yourself to the jury?

15 A. Good morning. My name is Alex Klibanov.

16 Q. And what is your title?

17 A. I am currently a professor emeritus of chemistry and
18 bioengineering at MIT, where I taught a number of
19 undergraduate and graduate courses and also conducted
20 research in many areas of chemistry for more than 40 years.

21 MR. YUE: I apologize, Your Honor. May we
22 approach with the demonstratives?

23 THE COURT: Yes.

24 BY MR. YUE:

25 Q. Dr. Klibanov, could you please briefly describe your

DIRECT EXAMINATION - ALEXANDER KLIBANOV

1 educational background?

2 A. I received my master's degree in chemistry in 1971
3 from Moscow University in Russia, and my PhD in chemical
4 enzymology, which is a branch of medicinal chemistry, in
5 1974 from the same university.

6 Q. And when did you come to the United States?

7 A. I was very fortunate to come to the United States
8 legally in 1977, and I became a naturalized United States
9 citizen in 1983.

10 Q. What did you do once you came to the United States?

11 A. For the first almost two years, I worked as a
12 postdoctoral research chemist at the University of
13 California, San Diego. And in 1979, I became a professor at
14 MIT.

15 Q. What is your experience with pharmaceutical
16 formulations?

17 A. I have about half a century of experience of
18 developing and studying pharmaceutical formulations. I
19 published numerous papers in this area. I have many issued
20 United States patents in this area. I also have consulted
21 for many pharmaceutical companies.

22 In addition to that, over the years, I started
23 six pharmaceutical companies of my own. And I have been on
24 the boards of directors and on scientific advisory boards of
25 those companies and of many others.

DIRECT EXAMINATION - ALEXANDER KLIBANOV

1 Q. Have you been involved in the development of any
2 drugs that were approved by the FDA?

3 A. Yes. Two of the drugs that I have been involved in
4 the development of have been approved by the FDA for use in
5 the United States.

6 Q. Have you won any professional awards?

7 A. I have been very fortunate to have been repeatedly
8 recognized by my peers, and I have a fairly long list of
9 professional awards. I will just mention two: I was
10 elected to the United States National Academy of Sciences,
11 which is viewed as one of the highest professional honors
12 that can be given to an American scientist.

13 And I also was elected to the United States
14 National Academy of Engineering, which is among the highest
15 professional honors that can be bestowed on an American
16 engineer.

17 MR. YUE: Your Honor, we offer Dr. Alexander
18 Klibanov as an expert in pharmaceutical formulations.

19 MR. NIMROD: No objection.

20 THE COURT: All right. Dr. Klibanov may testify
21 as an expert in pharmaceutical formulations.

22 THE WITNESS: Thank you, Your Honor.

23 BY MR. YUE:

24 Q. Dr. Klibanov, why are you here today?

25 A. I'm here to explain my opinion that Avadel's Lumryz

DIRECT EXAMINATION - ALEXANDER KLIBANOV

1 product does not infringe asserted Claims 7 and 11 of Jazz's
2 '488 patent.

3 Q. What is the relationship between Claims 7 and 11 of
4 Jazz's '488 patent to Claim 1 of that patent?

5 A. Well, both Claim 7 and 11 depend from Claim 1. And,
6 therefore, these two asserted claims incorporate all claim
7 limitations of Claim 1 of the '488 patent.

8 MR. YUE: Mr. Jarrett, could you please put up
9 JTX003, page 30, which is already in evidence. Thank you,
10 Mr. Jarrett.

11 BY MR. YUE:

12 Q. Dr. Klibanov, what are we looking at here?

13 A. We're looking at a portion of Claim 1 which recites a
14 formulation which contains sustained-release portions, and
15 the sustained-release portion includes a core, and this core
16 includes at least one pharmaceutically active agent.

17 Q. And what does a core limitation require, in plain
18 English?

19 A. In plain English, the claimed core must contain a
20 drug.

21 Q. And did you prepare some demonstratives to aid in
22 your testimony today?

23 A. I did.

24 MR. YUE: Mr. Jarrett, could we please put up
25 DDX-AK-001.

DIRECT EXAMINATION - ALEXANDER KLIBANOV

1 BY MR. YUE:

2 Q. Dr. Klibanov, what are we looking at here?

3 A. We're looking here at a simplified and color-coded
4 figure 1 taken directly from Avadel's '062 patent. And we
5 see IR microparticle on the left and MR microparticle on the
6 right. And this is a cross section of both, of course.

7 Q. And what's the difference between these two
8 microparticles in terms of their structure?

9 A. The core between the two is exactly the same. The
10 drug layer around the core is also exactly the same. The
11 only difference is that a MR microparticle also has a
12 modified-release coating on top of the drug layer, which
13 controls release of the drug from the microparticle.

14 Q. Now, according to Dr. Little, what is the core of
15 these microparticles?

16 A. Dr. Little opined that the core changes when you go
17 from left to right. And in the modified-release
18 microparticle, the core, somehow, also includes the drug
19 layer.

20 Q. And do you agree with Dr. Little?

21 A. I do not.

22 Q. Why not?

23 A. Well, because a formulator would understand that just
24 because you put an extra layer at the very top doesn't
25 change what the core is.

DIRECT EXAMINATION - ALEXANDER KLIBANOV

1 Q. What would a formulator understand to be the core of
2 the Lumryz microparticles?

3 A. The formulator would understand that the core is
4 pharmaceutically neutral or inert; in other words, it
5 contains no drug in it.

6 Q. What evidence do you have for your opinion regarding
7 the core of these microparticles?

8 A. I have two buckets of evidence; the first one comes
9 from Avadel's submission to the FDA that covers the Lumryz
10 product, and the second one comes from Avadel's '062 patent.

11 Q. Let's start with Avadel's submission to the FDA. Can
12 you please turn to what's been labeled JTX227 in your
13 binder.

14 MR. YUE: And, Your Honor, we'd move to admit
15 JTX227 into evidence.

16 MR. NIMROD: No objection.

17 THE COURT: JTX227 is admitted.

18 (Exhibit admitted.)

19 BY MR. YUE:

20 Q. Dr. Klibanov, what is this document?

21 A. This is a portion of a new drug application submitted
22 by Avadel to the FDA which, as I just mentioned, covers
23 Lumryz.

24 Q. And why is this document important to your analysis?

25 A. It is important because in submitting a new drug

DIRECT EXAMINATION - ALEXANDER KLIBANOV

1 application to the FDA, Avadel must provide a complete and
2 accurate description of the product.

3 MR. YUE: Mr. Jarrett, could we please go to
4 page 5. And let's blow up figure 1.

5 BY MR. YUE:

6 Q. Dr. Klibanov, what are we looking at here?

7 A. We're looking again at a cross section of a
8 modified-release microparticle.

9 Q. And how does this support your opinion?

10 A. As the jury can see, at the very middle of these
11 circles, we have an entity that is called inert core,
12 meaning that the core is pharmaceutically inert. It doesn't
13 contain the drug, it is surrounded by a drug-loaded layer.

14 Q. Let's go to Avadel's '062 patent.

15 MR. YUE: And, Mr. Jarrett, could we please
16 bring up page 74 of JTX260.

17 BY MR. YUE:

18 Q. Now, Dr. Klibanov, do you recall Dr. Little
19 testifying about table 1b, as in bicycle, from Avadel's
20 patent?

21 A. Yes, I do.

22 Q. And do you agree with Dr. Little's analysis of that
23 table?

24 A. No, I do not.

25 Q. Why not?

DIRECT EXAMINATION - ALEXANDER KLIBANOV

1 A. Because it appears that Dr. Little reads table 1b in
2 isolation and ignores the rest of example 1, of which
3 table 1b is a part. In particular, he ignores table 1d, as
4 in David.

5 MR. YUE: Mr. Jarrett, could we please pull up
6 example 1, it's column 47, lines 2-7. Thank you.

7 BY MR. YUE:

8 Q. Dr. Klibanov, what does example 1 here tell a
9 formulator?

10 A. The very first sentence of example 1 specifically
11 refers to tables 1a, 1b, 1c, and 1d as covering IR and MR
12 microparticles, as well as their mixtures. So this first
13 sentence specifically directs a formulator to consider all
14 the tables in context with each other and, in particular,
15 consider table 1b in context with table 1d, as in David.

16 Q. Is there another point that this paragraph makes to a
17 formulator?

18 A. Yes. The second sentence specifically states that
19 "the physical structure of these microparticles is described
20 in figure 1."

21 Q. Let's go ahead and start with table 1d.

22 MR. YUE: Mr. Jarrett, could we please pull that
23 table up.

24 BY MR. YUE:

25 Q. Dr. Klibanov, what's described here in table 1d, as

DIRECT EXAMINATION - ALEXANDER KLIBANOV

1 in David?

2 A. As the jury can see at the top, it describes the
3 finished composition. So the finished composition
4 necessarily contains both immediate-release microparticles
5 and modified-release microparticles, it's a mixture.

6 Q. And what does the table 1d "as in David" here tell a
7 formulator about the core of the Lumryz microparticles?

8 A. It specifically says in the highlighted line that the
9 core for IR and MR microparticles is one and the same. And
10 namely, it is a microcrystalline cellulose sphere, which is
11 indisputably pharmaceutically inert; it doesn't have a drug
12 in it.

13 Q. And what about that entry right above the highlighted
14 entry that says sodium oxybate, what does that tell a
15 formulator?

16 A. It tells a formulator that it is a sodium oxybate
17 containing the drug substance, further confirming that the
18 core does not have a drug in it.

19 MR. YUE: Now, Mr. Jarrett, could we please
20 bring up DDX-AK-002.

21 BY MR. YUE:

22 Q. Dr. Klibanov, what are we looking at here?

23 A. We're looking at a color-coded and simplified table 1
24 from Avadel's '062 patent. The figure itself, figure 1
25 itself is shown at the top. And below it we have a box that

DIRECT EXAMINATION - ALEXANDER KLIBANOV

1 presents the legend to this figure.

2 Q. And what does figure 1 tell a formulator about the
3 core of the Lumryz microparticles?

4 A. The figure itself clearly indicates to a formulator
5 that the core is exactly the same, both on the left and on
6 the right, it's that gray circle in the middle.

7 Q. And what about the legend below it, what does that
8 tell a formulator?

9 A. That confirms that immediate-release and
10 modified-release microparticles have the same core, and
11 further directs a formulator at the very end to example 1 of
12 which tables 1b and 1d are a part.

13 MR. YUE: And, Mr. Jarrett, could we please pull
14 up table 1b, as in bicycle, of the '062 patent, that's
15 JTX260.

16 BY MR. YUE:

17 Q. Dr. Klibanov, why do you disagree with Dr. Little's
18 interpretation of table 1b, as in bicycle, of the Avadel
19 patent?

20 A. Because when the pharmaceutical formulator looks at
21 the description of the function of IR microparticles in this
22 table, the formulator will understand that the description
23 of the function here is incomplete.

24 Q. And what do you mean by that?

25 A. What I mean by that is that we just saw that the IR

DIRECT EXAMINATION - ALEXANDER KLIBANOV

1 microparticles contain both the core and the drug layer that
2 surrounds this core. And, therefore, the formulator would
3 understand that the function of IR microparticles should
4 read core of MR microparticles and drug substance.

5 Q. Dr. Klibanov, do you have any support for your
6 opinion from Avadel's patent other than example 1 that we've
7 been looking at?

8 A. I do.

9 Q. Okay.

10 MR. YUE: Mr. Jarrett, can you please bring up
11 DDX-AK-003.

12 BY MR. YUE:

13 Q. What are we looking at on this slide?

14 A. On the left-hand side we see a portion of the '062
15 patent that's outside of example 1. And we have the same
16 cross section, color-coded cross section on the right-hand
17 side. And the two parts are color coordinated.

18 Q. And what would a formulator take from this
19 disclosure?

20 A. Two important things; first of all, that the drug
21 layer and the core are two separate items. And second of
22 all, that the term "core" in Avadel's patent means the same
23 thing as the inert core, meaning that the core is
24 necessarily inert.

25 Q. Now, under Dr. Little's opinion of what the core of

CROSS-EXAMINATION - ALEXANDER KLIBANOV

1 the Lumryz modified-release particles are, would this
2 listing of a core coating in a drug layer that we see here,
3 would that make any sense?

4 A. No, it would make no sense at all.

5 MR. YUE: Mr. Jarrett, could we please pull up
6 DDX-AK-004.

7 BY MR. YUE:

8 Q. Dr. Klibanov, based on all of the evidence that you
9 considered, what is your conclusion about whether or not the
10 Lumryz modified-release microparticles have a
11 drug-containing core?

12 A. Well, again, the jury can see that the claim language
13 for the core requires the core to contain a drug. As I have
14 just demonstrated, the Lumryz product does not have a drug
15 in its core. Therefore, Lumryz does not infringe Claims 7
16 and 11 of Jazz's '488 patent.

17 MR. YUE: Thank you, Dr. Klibanov. Pass the
18 witness.

19 THE COURT: All right. Cross-examination?

20 MR. NIMROD: Good morning, ladies and gentlemen.
21 My name is Ray Nimrod. I'm one of the attorneys for Jazz.

22 CROSS-EXAMINATION

23 BY MR. NIMROD:

24 Q. Good morning, Dr. Klibanov.

25 A. Good morning, sir.

CROSS-EXAMINATION - ALEXANDER KLIBANOV

1 MR. NIMROD: Mr. Lewis, could we please pull up
2 JTX260, the Avadel '062 patent?

3 THE WITNESS: Yes, sir.

4 MR. NIMROD: And can we go to page .70, which is
5 the last sections Dr. Klibanov just testified about. That's
6 column 40, if we can blow up the bottom section.

7 BY MR. NIMROD:

8 Q. You just gave testimony regarding what's called one
9 sub-embodiment of the modified-release portion; is that
10 right?

11 A. Yes.

12 Q. And I think, as you stated, this is not in example 1,
13 right?

14 A. It refers to example 1, but it's outside of
15 example 1.

16 Q. It's outside of example 1, I think you just said
17 that, right?

18 A. That's right.

19 Q. Okay. Great.

20 MR. NIMROD: Now, Mr. Lewis, could we please go
21 to columns 47 and 48, that's 0.74, please.

22 BY MR. NIMROD:

23 Q. And this is where we see example 1 start. This is in
24 columns 47 and 48 of the '062 patent, correct?

25 A. Correct.

CROSS-EXAMINATION - ALEXANDER KLIBANOV

1 Q. All right.

2 MR. NIMROD: Now, if we could please blow up
3 table 1a again.

4 BY MR. NIMROD:

5 Q. You showed the jury this on your direct, do you
6 recall?

7 A. That's right.

8 Q. And in table 1a, we have the -- the header is "The
9 composition of IR microparticles," right?

10 A. Yes.

11 Q. And for the IR microparticles, the table states that,
12 "Microcrystalline or MCC is listed as the core." Is that
13 right?

14 A. Yeah, and specifically microcrystalline cellulose
15 here is listed as the core.

16 Q. I believe you said before, maybe previously, that the
17 oxybate drug substance is then layered on to the MCC core to
18 add the drug to the -- to make the IR microparticle, right?

19 A. That's right.

20 Q. And for drug layering, I think you called it before,
21 that's a process where you take a neutral core and then you
22 add drug layering on top of the core; is that right?

23 A. On top of that neutral core, yes.

24 Q. Okay, that's drug layering?

25 A. That's right.

CROSS-EXAMINATION - ALEXANDER KLIBANOV

1 Q. Let's move to table 1b, please. The title of table B
2 is "Composition of MR Microparticles," right?

3 A. Yes.

4 Q. Okay. And it includes an entry for IR
5 microparticles, right there?

6 A. Yes.

7 Q. And then it says that the function of that is, it
8 reads, "core of MR microparticles," correct?

9 A. Correct.

10 Q. And I think you just testified to the jury that there
11 was some error in the table, that it wasn't complete?

12 A. It was incomplete, that's correct.

13 Q. So you're saying it was an error?

14 A. I wouldn't call it an "error," but it's incomplete,
15 it's an oversight.

16 Q. An "oversight," all right.

17 Now, you've testified before, haven't you, that
18 in your view, the '062 patent's use of the word "core" in
19 some instances supports Dr. Little's opinion and in some
20 instances, it supports your opinion, right?

21 A. Yes, if taken in isolation, it supports Dr. Little's
22 opinion. If taken in the context of the entire patent, it
23 supports my opinion.

24 Q. So your testimony, under oath, was that there are
25 instances in the '062 patent where the use of the word

CROSS-EXAMINATION - ALEXANDER KLIBANOV

1 "core" supports Dr. Little's opinion, right?

2 A. Yes.

3 Q. And the portion that you were referring to was
4 table 1b where he was pointing for his opinion, right?

5 A. Yes, when it's reviewed in isolation.

6 Q. Okay. Now -- so he was focusing on table 1b, right?

7 A. Yes. And he, as I said, analyzed it in isolation
8 from the rest of tables.

9 Q. Now, could we please turn to PTX -- you were in court
10 when Dr. Little testified, correct?

11 A. Yes, I was.

12 Q. And you're here to rebut Dr. Little's testimony?

13 A. To respond to Dr. Little's testimony.

14 Q. "Respond," a better word.

15 And you heard him testify yesterday about a
16 request for admission?

17 A. Yes.

18 Q. Okay. And, in fact, that was in Dr. Little's reports
19 that you reviewed before you prepared your reports for the
20 case, right?

21 A. That's correct.

22 Q. Okay.

23 MR. NIMROD: Now, could we please put PTX726.31.

24 Okay. If we could just highlight -- yes, thank
25 you.

CROSS-EXAMINATION - ALEXANDER KLIBANOV

1 BY MR. NIMROD:

2 Q. The request for admission stated that, Avadel was to
3 admit that, quote, "the modified-release portion of
4 example 1 of U.S. Patent 10,272,062 (the composition of
5 which is provided in table 1b of said patent) corresponds to
6 the controlled-release portion of defendant's NDA product."

7 Do you see that?

8 A. I do.

9 Q. And you see also that the parenthetical here ("the
10 composition of which is provided in table 1b of said
11 patent") is spelled out directly in the request.

12 Do you see that?

13 A. That's correct, in parenthesis, before doing example
14 1.

15 Q. Okay. Now let's go back, again, to table 1b, there's
16 the request for admission directed, Avadel's attorney,
17 strike two, go back to table 1b, please.

18 Okay. So the request for admission in the
19 parenthetical specifically called out the composition of
20 table 1b, which is titled, "Composition of MR
21 microparticles," right?

22 A. Yes.

23 Q. And that's the table that says, "Component IR
24 microparticles"?

25 A. Yes.

CROSS-EXAMINATION - ALEXANDER KLIBANOV

1 Q. And then lists core of MR microparticles, right?

2 A. As a function, correct.

3 Q. And it doesn't say "sodium oxybate"?

4 A. It does not.

5 Q. It says "core of MR microparticles," right?

6 A. That's right, that's why if you read it in isolation,
7 that's what you would get.

8 Q. You would think, Wow, if you read this, it's pretty
9 obvious it's incomplete, right, that's what you're saying?

10 A. I don't know if it's "pretty obvious," but a
11 pharmaceutical formulator would understand that incomplete
12 when read in the context of the rest of example 1 and indeed
13 the rest of the '062 patent.

14 Q. Okay. Let's go back to the request for admission.

15 A. Yeah.

16 Q. Please.

17 The answer that Avadel gave was, "Subject to and
18 without waiving the foregoing general and specific
19 objections, Avadel admits this request."

20 Do you see those words?

21 A. I do.

22 Q. And you understand Avadel did not say in that
23 request, actually table 1b, which is called out, is
24 incomplete, did it?

25 A. Avadel said what it said.

CROSS-EXAMINATION - ALEXANDER KLIBANOV

1 Q. Okay. And you understand that Avadel had a month or
2 more to prepare an answer to something like that, this is
3 something that's taken seriously?

4 A. I'm sure it was taken seriously and I see nothing
5 wrong with what Avadel said because table 1b, it
6 specifically indicates, needs to be read in the context of
7 table 1 -- sorry, for example 1.

8 Q. And Avadel did not say in its response, "denied, look
9 to other portions of the patent, such as column 40," right?

10 A. There was no need to look to other portions because
11 example 1 was right in the question.

12 Q. And Avadel did not say "denied" because table 1 is
13 incomplete and you should instead just look to the NDA, did
14 they?

15 A. No, Avadel said what it said, but example 1 was right
16 in the question, whereas table 1b actually was in
17 parenthesis after that.

18 Q. And table 1b is the only table that is called out
19 specifically in that request for admission, pointed out
20 directly to Avadel, right?

21 A. Well, example 1 was actually called out and table 1b
22 was in parenthesis.

23 Q. Right. My question to you was: Would you agree
24 table 1b was the only table that was specifically called out
25 in that question?

CROSS-EXAMINATION - ALEXANDER KLIBANOV

1 A. That was specifically listed there, correct.

2 Q. So the question directed Avadel right to table 1b,
3 right?

4 A. I disagree with that.

5 Q. All right. Let's turn to another subject.

6 In your expert report, you've stated that your
7 view was that, "A person of skilled formulator, or a POSA,
8 would not view the core as being all portions of the pellet
9 underneath the topcoat, but would rather view the core of
10 the pellet to be its innermost portion."

11 Do you recall that?

12 A. Yes, in the context of Lumryz product.

13 Q. Right.

14 Now, you have seen other formulators refer to
15 the core of a modified-release pellet as all the portions of
16 the MR pellet, haven't you?

17 A. Not in the context of the Lumryz product.

18 Q. Well, you said you were testifying about what a
19 skilled formulator would think, right?

20 A. Would understand with respect to the Lumryz product
21 specifically.

22 Q. Okay. Could we -- could you look in your binder and
23 turn to Exhibit 875, please.

24 A. Okay.

25 Q. Do you -- are you there now?

CROSS-EXAMINATION - ALEXANDER KLIBANOV

1 A. Yes.

2 Q. Okay. You recognize Exhibit 875 as the Liang
3 reference?

4 A. I do.

5 Q. And you're familiar with that reference?

6 A. Yes.

7 Q. Okay. It's a reference that relates to oxybates and
8 formulations of oxybates; that you know?

9 A. In general, yes.

10 MR. NIMROD: Your Honor, we move to admit
11 DTX875, please.

12 MR. YUE: No objection.

13 THE COURT: Okay. DTX875 is admitted.

14 (Exhibit admitted.)

15 MR. NIMROD: Could we call up the abstract,
16 please.

17 BY MR. NIMROD:

18 Q. The abstract of the Liang reference is directed to a
19 dosage form containing an immediate-release component of the
20 oxybate and one or more delayed/controlled-release
21 components.

22 Do you see that?

23 A. I do see that.

24 Q. Okay. Now let's turn to DTX875.11, please. And if
25 we could just call up paragraph 44, 45, there we are.

CROSS-EXAMINATION - ALEXANDER KLIBANOV

1 So in the patent, there's a section with a title
2 in paragraph 44 called the "Immediate-Release Component."

3 Do you see that?

4 A. I see that section.

5 Q. Okay. And one of the -- the immediate-release
6 component, one of the dosage forms can be a particle, a bead
7 or a pellet, is some of the options, right?

8 A. Where are you reading?

9 Q. Right here in the third line of paragraph 45, "It can
10 be a particle, a bead or a pellet."

11 A. A granulate, a powder, a tablet, a minitab, as a
12 capsule and many other things, yes.

13 Q. One of which is a pellet?

14 A. That's correct.

15 Q. Thank you.

16 MR. NIMROD okay. Could we please turn to
17 paragraph 56. You can call up paragraph 56.

18 BY MR. NIMROD:

19 Q. Paragraph 56, the formulators in Liang state,
20 "Preferably, if the immediate-release component is a solid
21 pellet, bead, or minitab, that component is
22 also used as the immediate-release core of the pH-sensitive
23 delayed/controlled-release particles by coating them using
24 materials and methods similar to the barrier coats or the
25 overcoat as described herein."

CROSS-EXAMINATION - ALEXANDER KLIBANOV

1 Do you see that?

2 A. I do.

3 Q. Okay. So Liang, a formulator in a patent that you
4 had reviewed, states that you can use the immediate-release
5 component as your core for the controlled-release tablet,
6 right?

7 A. But not for the Lumryz product.

8 Q. Well, this patent is not directed to Lumryz, is what
9 you're saying.

10 A. Exactly right. That's exactly my point.

11 Q. But it's written by a formulator skilled in the art,
12 right?

13 A. True. In other products, a core may include a drug.
14 It's just that in Lumryz, it does not.

15 Q. Okay. Now let's go down to paragraph 57 and 58,
16 please, the next paragraph.

17 This is a section of Liang, the formulator Liang
18 and his team titled "Delayed/Controlled-Released Particles."
19 So now we've moved from the immediate release to delayed
20 release, controlled release.

21 And it states: "The immediate-release core of
22 the pH-sensitive delayed/controlled-release particles,
23 (i.e., beads, pellets, minitabs, granulate) of the current
24 invention comprises," and it goes on to talk about active
25 ingredient, right?

CROSS-EXAMINATION - ALEXANDER KLIBANOV

1 A. Yes.

2 Q. Okay. So it's saying here that you can take the
3 immediate-release particle and use it as a core in -- for
4 the formulations of these oxybate particles, right?

5 A. Yes, but not in Lumryz.

6 Q. Okay. Now let's go to paragraph 69 of Liang, please.

7 It says here in paragraph 69, "The immediate
8 release cores in the pH-sensitive delayed/controlled-release
9 particles of the current invention are made by techniques
10 and equipment known in the art, for example..."

11 And so it's saying here you can make these cores
12 for our invention, the cores that are going to be used for
13 the controlled release, by various techniques known in the
14 art, right?

15 A. Again, but not in Lumryz.

16 Q. And one of the technologies that Liang, a skilled
17 formulator, in a patent that you put in your report, says
18 that you can use is "drug layering." Do you see that?

19 A. Yes, I have no problem with that.

20 Q. And I asked you earlier, about five minutes ago, drug
21 layering is something where you have an inert core and you
22 layer the drug on top of it, right?

23 A. I mean, that's certainly one possibility.

24 Q. Well, that's what you told me and the jury it was. I
25 said, Isn't that what drug layering is? And you said, Yes.

CROSS-EXAMINATION - ALEXANDER KLIBANOV

1 A. Yes.

2 Q. Okay.

3 A. And I can still tell you that, but that's not Lumryz.

4 Q. All right. So this formula, though, is saying I am
5 going to take something, an inert core, layer the drug on
6 it, and I'm going to use that as my core, that whole thing
7 that results, for my sustained-release particle, right?

8 A. Yes, but not for the Lumryz product, once again.

9 Q. Okay. Just to be clear, Lumryz is made by drug
10 layering, correct?

11 A. It's made by spraying a solution of a drug on the
12 inert core.

13 Q. Okay. If we go back to paragraph 56, please.

14 Excuse me, 56, yes.

15 So Lumryz is made in a way where you take an
16 immediate-release component and then you use that and you
17 then, as stated in paragraph 56, coat them, that component,
18 "using materials and methods similar to the barrier coats or
19 the overcoat as described herein," right?

20 A. That's what it says.

21 Q. Okay.

22 MR. SCHULER: No further questions.

23 THE COURT: All right. Redirect?

24 MR. YUE: No further questions, Your Honor.

25 THE COURT: All right. Dr. Klibanov, you may

DEPOSITION TRANSCRIPT - SCOTT BURA

1 step down. Thank you.

2 THE WITNESS: Thank you, Your Honor.

3 THE COURT: All right. Let's give the jury the
4 morning break at this time.

5 (Whereupon, the jury left the courtroom.)

6 THE COURT: All right. We'll take 15 minutes
7 and come back at 11:45 a.m.

8 (Break taken.)

9 THE COURT: All right. Thank you. Be seated
10 until the jury comes.

11 (Whereupon, the jury entered the room.)

12 THE COURT: Avadel, you may call your next
13 witness.

14 MR. SILVER: Good morning, Your Honor. Dan
15 Silver on behalf of Avadel.

16 Hi, ladies and gentlemen.

17 Our next witness is going to be by deposition.
18 We're going to be playing a portion of the deposition of
19 Mr. Scott Bura, Jazz's director of process development.
20 Mr. Bura is a formulation scientist who works at Jazz and is
21 a named inventor on the '782 patent.

22 May I approach with clip reports, Your Honor?

23 THE COURT: Yes.

24 MR. SILVER: Thank you.

25 (Video deposition was played for the jury as

DEPOSITION TRANSCRIPT - SCOTT BURA

1 follows:)

2 BY MR. NIMROD:

3 Q. Good morning, Mr. Bura.

4 A. Good morning.

5 Q. What was your initial role when you joined Jazz in
6 2013?

7 A. My initial role was as process development.

8 Q. What was the first project that you were involved in
9 around 2015?

10 A. That was using resins.

11 Q. And did that involve both an immediate-release
12 component and some other type of component?

13 A. I don't recall specifically.

14 Q. And do you have a recollection as to why you were
15 pursuing ion exchange resin technology for oxybate?

16 A. We were looking at a once-nightly option.

17 Q. And is it fair to say that if the -- the theory was
18 that if the oxybate is taken up, to some extent, by the
19 resin, it will take time for the oxybate to get released in
20 the body?

21 A. That's not my field of expertise.

22 Q. Did you have an understanding as to how resin
23 technology might facilitate once-nightly dosing?

24 A. Yes.

25 Q. What was that understanding?

DEPOSITION TRANSCRIPT - SCOTT BURA

1 A. That it was a means to capture the active and deliver
2 it into the patient.

3 Q. And for those of us that are not experts in the
4 field, what are ion exchange resins?

5 A. That depends on the context.

6 Q. In the pharmaceutical context, are you able to
7 describe what ion exchange resins are?

8 A. No.

9 Q. Okay. Are they polymers?

10 A. I am not able to answer that.

11 Q. Are the ones that you personally experimented with --
12 were they polymers?

13 A. I don't recall the specific resins that we
14 experimented with.

15 Q. Have you ever physically seen an ion exchange resin?

16 A. Yes.

17 Q. What form was it in? Was it solid, liquid?

18 A. Small beads.

19 Q. And is it fair to say that the goal was to get enough
20 oxybate taken up by the resin that it would be protected,
21 for some period of time, through the transit of the body?

22 A. I don't know.

23 Q. Did you come to a personal view that you had
24 accomplished something that was novel?

25 A. I don't recall.

DEPOSITION TRANSCRIPT - SCOTT BURA

1 Q. So as I understand it, sitting here today, you don't
2 have a recollection of ever having concluded that you
3 accomplished something novel with your ion exchange resin
4 project?

5 A. I don't recall making conclusions. I don't recall
6 making conclusions.

7 Q. That you had achieved something novel?

8 A. I don't recall.

9 Q. Are you able to tell the Court what you personally
10 considered novel about your work?

11 A. About this work?

12 Q. Yeah.

13 A. No, I don't recall.

14 Q. I guess you -- you're still at Jazz today?

15 A. I am, yes.

16 Q. Okay. Did you take any actions to attempt to stop
17 Jazz from copying the Avadel claims?

18 A. I did not.

19 Q. Mr. Bura, in your review of the examples from the
20 specification, did any of them involve a sachet formulation?

21 A. I did not read the word "sachet" in the examples.

22 Q. Do you believe that a person -- another scientist
23 could achieve a once-nightly dosing for sodium oxybate or
24 any other oxybate for a sachet suspension product in water
25 using the information that is set forth in your patent?

DEPOSITION TRANSCRIPT - SCOTT BURA

1 A. I don't know.

2 Q. So what was the packaging form that JZP-324 was
3 supposed to utilize as of 2020?

4 A. A sachet.

5 Q. Do you know when JZP-324 was designated to be a
6 sachet? It was already a sachet when you came on in 2020?

7 A. It was already a sachet when I came on, yes.

8 (End of video deposition.)

9 MR. SILVER: Your Honor, up next we're going to
10 play a portion of the deposition of Mr. Philip McGarrigle,
11 senior intellectual property counsel at Jazz.
12 Mr. McGarrigle is an attorney who supervised the filing of
13 Jazz's patent applications with the Patent Office. He will
14 be discussing the prosecution of the '782 patent as well as
15 certain -- as well as, excuse me -- yes, '782 patent as well
16 as certain disclosures regarding sachets that Jazz made
17 during prosecution of a different but related patent
18 application.

19 And we're going to introduce into evidence
20 through Mr. McGarrigle JTX009, JTX012, and JTX059, which I
21 understand are not objected to.

22 THE COURT: What was that last one, JTX059?

23 MR. SILVER: 059, Your Honor.

24 THE COURT: All right. JTX009, JTX012, JTX059,
25 any objection?

DEPOSITION TRANSCRIPT - PHILIP MCGARRIGLE

1 MR. NIMROD: No objection, Your Honor.

2 THE COURT: All right. They are admitted.

3 (Exhibits admitted.)

4 MR. SILVER: May I approach with binders, Your
5 Honor?

6 THE COURT: Yes.

7 MR. SILVER: Thank you.

8 (Video deposition was played for the jury as
9 follows:)

10 Q. How long have you worked at Jazz?

11 A. I started working in December of 2012, so it's coming
12 up on ten years.

13 Q. And what exactly is your role at Jazz?

14 A. My role is to work on intellectual property matters
15 at Jazz, and that has changed over the ten years. When I
16 first got there, I was the only intellectual property
17 person, so I was handling a large basket of things, versus
18 now, which I'm handling less because we have more people.
19 And that would include trademarks and copyrights and patents
20 and regulatory and due diligence and various other things.

21 Q. What basket of things are you currently handling?

22 A. I'm more focused on just working on oxybate. I'm
23 working less hours, I'm a part-time person now. And I'm
24 helping recruit some other people to fill my position.

25 Q. What outside lawyers do you interact with, with

DEPOSITION TRANSCRIPT - PHILIP MCGARRIGLE

1 respect to the IP portfolio for oxybate?

2 A. I would interact with Cooley, Jones Day, Schwegman
3 and Lundberg. I think that's it.

4 Q. Who at Cooley works on Jazz's oxybate portfolio?

5 A. Jason Valentine. I'm just going to wait for you to
6 write it down.

7 Q. Are there any other in-house counsel at Jazz with
8 responsibility for patent prosecution related to the oxybate
9 portfolio?

10 A. No.

11 Q. And I don't think we actually established this, but
12 you are a lawyer, correct?

13 A. Yes.

14 Q. When you draft a patent for Jazz, do you file it
15 yourself, or does it go to outside prosecution counsel
16 before it's filed?

17 A. It's -- it goes to outside prosecution -- as a
18 general matter, it goes to outside prosecution counsel.

19 Q. And do you supervise outside patent prosecution
20 counsel during the course of the prosecution of those
21 patents?

22 A. Yes.

23 Q. In your work at Jazz, do you have any responsibility
24 for monitoring Avadel's patent portfolio?

25 A. Yes.

DEPOSITION TRANSCRIPT - PHILIP MCGARRIGLE

1 Q. So looking at Exhibit 8 briefly.

2 A. Exhibit 8.

3 Q. That is the '782 patent, correct?

4 A. This is the '782 patent.

5 Q. So the Exhibit 6, the '064 application, ultimately
6 issued as Exhibit 8, the '782 patent; is that right?

7 A. Exhibit 6, the -- '064 patent, right?

8 Q. '064 application, yes.

9 A. Yeah, application, issued as the '782.

10 Q. And I'd like to look, again, at the claims of the
11 '064 application, which start on Bates 195.

12 A. 195, okay. Got it.

13 Q. All right. Do you know who drafted these claims?

14 A. No.

15 Q. Do you know whether it would have been either you or
16 Mr. Valentine?

17 A. Yes.

18 Q. I'm asking if you recall any time you showed any set
19 of claims over the course of prosecution to the -- of the
20 '064 patent to any of the inventors --

21 A. Okay.

22 Q. -- prior to the issuance of the '782?

23 A. I don't remember any specific instance where I did
24 that.

25 Q. You've been handed Exhibit 9. Do you recognize this

DEPOSITION TRANSCRIPT - PHILIP MCGARRIGLE

1 as U.S. Patent 10,736,866 to Flamel?

2 A. When you say "recognize it," I mean, just by reading
3 it, that's what it says.

4 Q. Did you or Jason Valentine -- do you know whether you
5 or Jason Valentine referred to the claims of the '866 patent
6 during the drafting of the claims of the '064 application?

7 A. Yes.

8 Q. Did either you or Jason Valentine refer to the claims
9 of the '866 patent during the drafting of the claims of the
10 '064 application?

11 Did either you --

12 A. Yes.

13 Q. -- or Jason Valentine refer to the claims of the '866
14 patent?

15 A. Either Jason or Phil referred to the claims of the
16 '866, okay.

17 Q. During the drafting of the '064 application?

18 A. Yes.

19 Q. Do you know whether you personally referred to the
20 claims of the '866 patent during drafting of the '064
21 application?

22 A. No.

23 Q. Okay. Process of elimination then.

24 Did Jason Valentine refer to the claims of the
25 '866 patent during the drafting of the claims of the '064

DEPOSITION TRANSCRIPT - PHILIP MCGARRIGLE

1 application?

2 A. Yes.

3 Q. Did you tell him to refer to the claims in the '866
4 patent during the drafting?

5 A. I don't recall specific -- I don't recall.

6 Q. Did you more generally instruct Jason Valentine to
7 review Avadel's patent portfolio in drafting the claims of
8 the '064 patent application?

9 A. Yes.

10 Q. So with the aid of Exhibit 12, can you identify
11 Exhibit 11 as the '487 application that led to the '488
12 patent?

13 A. Yes.

14 Q. Excellent. So looking at page -- well, actually, we
15 will do this one real quick.

16 Were you involved in the prosecution of the '487
17 application?

18 A. Give me one second. Yes.

19 Q. All right. And who else was involved in the
20 prosecution of the '487 application?

21 A. '487, right?

22 Q. Yes.

23 A. Mike Tuscan, Michael Tuscan; Sandhya Deo, D-E-O; me;
24 Clark Allphin.

25 Q. Do you know who drafted these claims?

DEPOSITION TRANSCRIPT - PHILIP MCGARRIGLE

1 A. No. No.

2 Q. Fair to say it would be either you or Ms. Deo?

3 A. No.

4 Q. Is it possible it was also Mr. Tuscan?

5 A. Yes.

6 Q. So it would have been one of the three of you,
7 Ms. Deo, or Mr. Tuscan that drafted the claims in the '487
8 application?

9 A. Yes. Yes. Yes.

10 Q. Do you know whether any one of you, Ms. Deo, or
11 Mr. Tuscan referred to any Avadel patent or patent
12 publication in the process of drafting or revising these
13 claims?

14 A. Yes.

15 Q. Did any of you, Mr. Tuscan, and Ms. Deo refer to any
16 Avadel patent or patent publication in the course of
17 drafting the claims of the '487 application?

18 A. Yes.

19 Q. Which of those three people referred to the Avadel
20 patents or patent applications during the drafting of the
21 '487 claims?

22 A. Mike and Sandhya. And -- and Clark.

23 Q. With the stipulation of nonwaiver, did you instruct
24 Mike, Sandhya, and Clark to refer to Avadel patents and
25 patent applications during preparation of the claims of the

DEPOSITION TRANSCRIPT - PHILIP MCGARRIGLE

1 '487 patent application?

2 A. Yes.

3 BY MR. CERRITO:

4 Q. Mr. McGarrigle, can you take a look at what has been
5 marked as Plaintiff's Exhibit 1. And do you know what that
6 document is?

7 A. It says that it is an applicant-initiated -- well, on
8 the other page it's a summary, it's a summary of an
9 interview that was conducted in this case.

10 Q. Okay. And do you recall discussing anything with the
11 patent examiner during this interview about Jazz's sachet
12 patent claims?

13 A. Yes.

14 Q. What -- what did you discuss?

15 A. Jason Valentine took over the -- the discussion of
16 the slides. And we had a slide discussion of the rest of it
17 at the end, and I mentioned that there was an Avadel patent
18 that was similar. And then we cited to the examiner the
19 number and pointed out where it was and --

20 Q. Similar in what way?

21 A. Similar in that it had the sachet in it.

22 Q. Can I hand you what we'll mark for identification as
23 Plaintiff's Mr. McGarrigle 2.

24 Mr. McGarrigle, I have handed you Plaintiff's
25 Exhibit 2, it's a copy of United States Patent Number

DIRECT EXAMINATION - JOSEPH MATAL

1 10,952,986, do you see that?

2 A. Yes.

3 Q. And do you recognize this patent?

4 A. The number isn't overly familiar with me, but the
5 claims are familiar.

6 Q. The claims are familiar. Is this the patent that you
7 referred to to the examiner?

8 A. Yes.

9 Q. Okay. And did the examiner allow Jazz's -- sachet
10 claims --

11 A. Eventually.

12 Q. Did the examiner make any note, record of your
13 conversation regarding Avadel's sachet patent in the notice
14 of allowance?

15 A. In the notice of allowance, there was some language
16 that referred to the patent.

17 (End of video deposition.)

18 MR. YUE: Good morning, again. Your Honor, we'd
19 like to call our next witness, Mr. Joseph Matal, to the
20 stand.

21 THE COURT: All right. Mr. Matal, please take
22 the stand.

23 JOSEPH MATAL, having been called on the part and
24 behalf of the Defendant as a witness, having first affirmed
25 to tell the truth, testified as follows:

DIRECT EXAMINATION - JOSEPH MATAL

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

DIRECT EXAMINATION

BY MR. YUE:

Q. Good morning, Mr. Matal.

A. Good morning.

Q. Please introduce yourself to the jury.

A. I'm Joe Matal, I'm a patent lawyer in Washington, DC.

And I served as acting director of the U.S. Patent and Trademark Office from 2017 to '18.

Q. Could you please briefly tell us about your educational background?

A. I have a BA from Stanford University and a law degree from Boalt Hall School of Law to UC Berkeley.

Q. And how did you come to work for the Patent Office?

A. I first joined the Patent Office through the solicitor's office, that's the part of the Patent Office that represents the agency in court.

Q. And what did you do after your time in the solicitor's office?

A. After solicitor's office, I went into the front office, and eventually served as acting director of the agency in charge of the whole agency.

Q. And can you give us a sense of what your responsibilities were as the acting director?

A. You're in charge of all 12,000 employees, the budgeting, managing, you know, the entire organization.

DIRECT EXAMINATION - JOSEPH MATAL

1 MR. YUE: Your Honor, at this time we'd like to
2 profer Mr. Matal as an expert in Patent Office practice and
3 procedure.

4 MR. NIMROD: No objection, Your Honor.

5 THE COURT: All right. Mr. Matal may testify on
6 the Patent Office practice and procedure.

7 BY MR. YUE:

8 Q. Mr. Matal, what are you here to talk about today?

9 A. Patent Office policy and procedure, and in particular
10 the rules requiring the disclosure of claim copying when
11 claims have been copied from another application.

12 Q. Now, before we get into the specific opinions, can
13 you give the jury a brief description of the process for
14 getting a patent?

15 A. Sure, you start by filing an application, it begins
16 with what's called a specification; that's a description of
17 your invention that's good enough so that other people can
18 make and use the invention. Then eventually you file claims
19 that kind of mark out the boundaries of what you claim as
20 your invention. And then the examiner examines it and
21 decides if it meets the conditions of patentability.

22 Usually, there's initially a rejection. People
23 claim a little too much or there's some other problem. But
24 even after you're rejected, then you can counter and even
25 amend your claims. And usually, even when a patent issues,

DIRECT EXAMINATION - JOSEPH MATAL

1 there's this back-and-forth process before claims ultimately
2 issue.

3 Q. And is there a name for this back-and-forth process
4 you were just referring to?

5 A. Examination or patent prosecution.

6 Q. And is there a name for the written record that
7 results from this back-and-forth with the Patent Office?

8 A. Yeah, it's called the file wrapper or the prosecution
9 history.

10 Q. Mr. Matal, are you familiar with the claims of Jazz's
11 '064 application?

12 A. Yes, I am.

13 Q. And just to remind us, what patent did the '064
14 application eventually issue as?

15 A. The '782 patent, the patent that's at issue in this
16 case, or one of the two.

17 Q. And did you compare the claims of Jazz's '064
18 application with the claims of Avadel's '866 patent?

19 A. Yes, I did.

20 Q. And what did you think about the claim language?

21 A. The claim language is very similar between the
22 Avadel -- the earlier Avadel patent and the Jazz patent.

23 Q. And what do you understand Jazz's explanation to be
24 for why the claim language between that application and
25 Avadel's '866 patent was so similar?

DIRECT EXAMINATION - JOSEPH MATAL

1 A. Well, as we just saw in the testimony from Jazz's
2 lawyers, they admit that they -- they referred to the
3 earlier Avadel patent when they drafted their own patent
4 claims.

5 Q. Now, assuming the jury finds that Avadel copied --
6 excuse me, assuming the jury finds that Jazz copied Avadel's
7 claims, what should Jazz have done during prosecution?

8 A. The Patent Office has a rule that if you copied your
9 patent claims in your application from another person's
10 application, you have to tell the Patent Office about it.
11 You have to inform us about the copying.

12 Q. And if Jazz had, in fact, copied Avadel's claims, is
13 there any reason Jazz wouldn't have notified the Patent
14 Office of that copying fact?

15 A. No, you -- no matter what, if you copied someone
16 else's patent claims, you have to tell the Patent Office
17 that you did that.

18 Q. All right. I'd like to unpack your opinions a little
19 bit.

20 To begin with, are you familiar with something
21 called a Manual of Patent Examine Procedure?

22 A. Yes, it's the Patent Office's rules governing
23 examination and it's published on the agency's website so
24 that people can know all the rules that the Patent Office
25 applies and expects them to follow during patent

DIRECT EXAMINATION - JOSEPH MATAL

1 prosecution.

2 Q. Is that manual sometimes referred to as the "Patent
3 Office rules"?

4 A. Yes.

5 Q. Okay. What experience do you have with interpreting
6 these rules?

7 A. Among other things, when I was at the solicitor's
8 office, we're one of the offices in charge of updating the
9 rules to make sure that -- it reflects, the rules reflect
10 recent court decisions or changes in the statute, changes in
11 the law.

12 Q. Could you please turn to the tab DTX499 in your
13 binder.

14 MR. YUE: Your Honor, at this time we'd like to
15 move DTX499 into evidence. I apologize, we didn't hand up
16 the binders, one moment, please.

17 BY MR. YUE:

18 Q. All right. Let's try the that again. Could you
19 please turn to DTX499 in your binder.

20 A. So the tabs I have here are just the various parts of
21 the report, I don't have a tab for "DTX."

22 MR. YUE: Apologizes, we'll get the right binder
23 to you in a moment, sir.

24 May I approach again, Your Honor? Thank you.

25 THE COURT: Yes.

DIRECT EXAMINATION - JOSEPH MATAL

1 BY MR. YUE:

2 Q. Third time is a charm. Can you go ahead and look at
3 DTX499? It should be the document in front of you.

4 A. (Complies.)

5 MR. YUE: And we'd like to move it into
6 evidence, Your Honor.

7 MR. NIMROD: No objection.

8 THE COURT: DTX499 is admitted.

9 (Exhibit admitted.)

10 MR. YUE: And, Mr. Jarrett, if you can go ahead
11 and put that up on the screen, thank you.

12 BY MR. YUE:

13 Q. Mr. Matal, what are we looking at?

14 A. This is a section of the Patent Office's governing
15 examination rules that are on the agency's website.

16 Q. And can you walk us through the relevant rule?

17 A. Sure, so the relevant rule is 2001.06(d). And this
18 is the rule that requires that if you copy your patent
19 claims from someone else, you have to disclose it to the
20 Patent Office.

21 The first sentence up there talks about an older
22 proceeding called interferences where you also have to
23 disclose copying. It cites it, by way of analogy, that if
24 you -- when you disclose copying in an inference, you
25 identify the number of the patent and the number of the

DIRECT EXAMINATION - JOSEPH MATAL

1 claims that you copied.

2 The most important sentence is the second one
3 and as you can see it says: Clearly, the information
4 required, you know, as to the source of copied claims is
5 material information under the Patent Office's rules.

6 And "material information" is a buzzword we use
7 in the Office that designates anything that -- if you know
8 about it as a patent applicant, you have to tell us about
9 it. So if we labeled something "material information," that
10 means, if you know it, you have to tell us.

11 So when this rule tells you that, clearly, the
12 fact that you copied your patent claims from another party
13 is material information, that's Patent Office language for,
14 you have to tell us if you copied someone else's claims.

15 BY MR. YUE:

16 Q. And why do the patent rules have this requirement?

17 A. Two kind of interrelated reasons: The fact that you
18 copied your patent claims from another person's application
19 goes to two of the core requirements for patentability.

20 First of all, it goes to inventorship. It
21 naturally raises doubts that you're the true inventor if you
22 copied your claim language, you know, from another person.

23 And relatedly, it raises concerns about written
24 description support. If you copied your claim language from
25 another person's application but you don't actually have,

DIRECT EXAMINATION - JOSEPH MATAL

1 you know, written description support for that, you've
2 basically stolen that other person's invention.

3 Q. What if the applicant truly believes they invented
4 what they claimed?

5 A. That's great. In fact, we require you to sign an
6 oath as part of your patent application where you swear that
7 you believe yourself to be the inventor. But in addition to
8 filing that oath, if you did copy your claim language from
9 another person, you have to notify the Patent Office.

10 Q. Now, you mentioned something called an "interference
11 proceeding" when you were describing this rule.

12 Does this rule only apply to interference
13 proceedings?

14 A. Absolutely not. It applies to all applications
15 regardless of when they are filed -- the Patent Office
16 always wants to be informed about a claim copying when it
17 has occurred.

18 Q. Now, you've reviewed the prosecution history of the
19 '782 patent?

20 A. Yes, I have.

21 Q. Did you see anything in the prosecution history of
22 the patent relating to claim copying?

23 A. No, I did not. There's no indication anywhere in the
24 prosecution history of the '782 patent that claims were
25 copied from Avadel or from anyone else's prosecution.

DIRECT EXAMINATION - JOSEPH MATAL

1 Q. Did you see any mention at all of Avadel's '866
2 patent?

3 A. It is cited in an information disclosure statement.

4 Q. And what's an "information disclosure statement"?

5 A. An information disclosure statement is a form that
6 you submit to the Patent Office telling the Office about
7 potentially material references.

8 MR. YUE: Mr. Jarrett, could we go ahead and put
9 up DDX-JM.003. Thank you.

10 BY MR. YUE:

11 Q. Mr. Matal, what are we looking at here?

12 A. These are the several information disclosure
13 statements that Jazz submitted when it was prosecuting its
14 patent.

15 Q. And about how many different references did Jazz
16 provide to the Patent Office?

17 A. There are over 350 references cited in these IDSs.

18 Q. And is the Avadel '866 patent among those references?

19 A. Yes. Although I can't read it from here, it's the
20 highlighted reference down at the bottom.

21 Q. And you added that highlighting, right?

22 A. Yes.

23 Q. Now, what, if anything, did Jazz do to call attention
24 to that '866 patent during prosecution of its '782 patent?

25 A. Nothing. It did cite it, you know, among 350

DIRECT EXAMINATION - JOSEPH MATAL

1 references, but, you know, the requirement to cite
2 potentially a material prior art is one thing, the
3 requirement to tell the Office if you committed claim
4 copying is a separate requirement.

5 The Office doesn't want to just be told that
6 this reference exists, that it's out there. It wants to be
7 informed of the fact that you copied your claims from
8 someone else's application.

9 Q. Thank you.

10 Were you here for the testimony of
11 Mr. McGarrigle, Jazz's patent attorney?

12 A. Just now, yes.

13 Q. Did you hear testimony from Mr. McGarrigle that the
14 examiner was told about an Avadel patent with sachet claims
15 during the prosecution of a Jazz patent?

16 A. Yes.

17 Q. And just to be clear, was Mr. McGarrigle testifying
18 about the prosecution of either one of the Jazz patents in
19 this case?

20 A. No, no, it was about a different patent.

21 Q. And what did you see in the prosecution history of
22 this other Jazz patent indicating that they had told the
23 patent examiner anything about claim copying?

24 A. So I looked through the prosecution history of this
25 other unrelated patent and that application history also has

DIRECT EXAMINATION - JOSEPH MATAL

1 no -- no notice given to the Patent Office of any claim
2 copying.

3 Q. I'd like to switch gears for a moment.

4 Are you familiar with something called the
5 America Invents Act?

6 A. Yes, I am.

7 Q. And how are you familiar with that Act?

8 A. Among other things, I served as a counsel for the
9 U.S. Senate and I assisted senators with negotiation and
10 drafting of the America Invents Act.

11 Q. And what impact did the America Invents Act have on
12 this requirement that you just described to notify the
13 examiner of claim copying?

14 A. None whatsoever. The America Invents Act made a lot
15 of changes, it was the first major overhaul of the patent
16 system since the 1952 Act, but it did not change the reasons
17 why you want claim copying to be disclosed to the Patent
18 Office when it has occurred.

19 MR. YUE: Mr. Jarrett can we go ahead and put up
20 DTX499 again. And can we go ahead and zoom in on Rule 6(d).
21 That's the callout that you did earlier.

22 Thank you.

23 BY MR. YUE:

24 Q. Mr. Matal, we're going back to that Rule 6(d) that
25 you just talked about.

DIRECT EXAMINATION - JOSEPH MATAL

1 Is there anything in here that indicates that
2 this rule no longer applies after the passage of the AIA or
3 the America Invents Act?

4 A. No, there isn't. And, you know, the America Invents
5 Act made a lot of changes to the law. It changed the way
6 that you record your priority, for example. And there are
7 parts of the law that no longer apply since the enactment of
8 the American Invents Act in 2011.

9 When that's the case, the Patent Office makes
10 that clear in its rules. So the rules that no longer apply
11 to these, you know, post-America Invents Act patents, you
12 know, there's always a clear disclaimer put in there that
13 says: This only applies to pre-AIA patents or, you know,
14 this rule now only applies to post-AIA patents.

15 The fact that there's no disclaimer in here is
16 the -- the Office doesn't want to keep you guessing and this
17 is their way of letting you know this rule on claim copying
18 still applies to all patents whether they were filed before
19 or after the America Invents Act.

20 MR. YUE: Thank you, Mr. Jarrett.

21 BY MR. YUE:

22 Q. Mr. Matal, was there anything else that stood out to
23 when you reviewed the prosecution history of Jazz's '72
24 patent?

25 A. Yes, the nonpublication requests.

DIRECT EXAMINATION - JOSEPH MATAL

1 Q. And what is a nonpublication request?

2 A. So, you know, the, Patent Office, or, really, the law
3 enacted by Congress wants patent applications to publish at
4 a certain point in the process, that's just so the public
5 can have notice of what people are planning to claim in a
6 patent.

7 So at a certain point in time, your application
8 will publish but there's an exception to that called a
9 nonpublication request. You can request that your
10 application not be published.

11 Q. What are the downsides to filing a nonpublication
12 request?

13 A. So, again, the law generally wants people to publish,
14 so it basically imposes a penalty for seeking nonpublication
15 and the penalty for requesting nonpublication, is that you
16 forego all patent rights outside of the United States.

17 So if you get nonpublication and your claims
18 aren't published, you can't have patent rights anywhere in
19 any foreign country outside of the U.S.

20 Q. In your experience, how common are these
21 nonpublication requests?

22 A. Not at all common. It's less than one in ten
23 applicants who seek nonpublication and forego foreign patent
24 rights.

25 Q. And what stood out to you about Jazz's nonpublication

DIRECT EXAMINATION - JOSEPH MATAL

1 request in particular?

2 A. Two things. One is, you know, these are
3 pharmaceutical patents. They're -- they tend to be
4 valuable. You want to -- pharmaceutical products work in
5 foreign countries as well. You're giving up a lot of money
6 if you forego all foreign patent protection.

7 The other thing that struck me about these
8 particular requests is this was the second generation of
9 these -- you know, these Jazz patents. The underlying
10 application describing Jazz's invention had already been
11 published in those earlier applications. So the thing I
12 called the specification where you describe what your
13 invention is and how to make or use it, that was already
14 published. That had already been published for these
15 earlier patents. The only thing that wasn't published as a
16 result of Jazz's seeking nonpublication in this case was the
17 patent claims themselves.

18 BY MR. YUE:

19 Q. And what was the practical effect of Jazz's
20 nonpublication request?

21 A. The practical effect of seeking nonpublication in
22 these circumstances was that Avadel and the rest of the
23 world -- you delayed as long as possible the day when Avadel
24 would learn about these particular patent claims. As
25 Avadel's CEO testified the other day, they didn't learn

CROSS-EXAMINATION - JOSEPH MATAL

1 about these claims until -- until the day they'd been sued
2 on this newly issued patent.

3 MR. YUE: Thank you, Mr. Matal.

4 I'll pass the witness.

5 THE COURT: All right. Cross-examination.

6 MR. NIMROD: Thank you, Your Honor.

7 If I could pass up a binder, Your Honor?

8 THE COURT: Yes, you may approach.

9 MR. NIMROD: Thank you.

10 CROSS-EXAMINATION

11 BY MR. NIMROD:

12 Q. Good afternoon, Mr. Matal.

13 A. Good afternoon.

14 Q. Just to make sure we're all on the same page, your
15 testimony today related to Jazz's asserted '782 patent, not
16 the other asserted patent, correct?

17 A. Yes.

18 Q. Okay. And to be clear -- I think it's clear from
19 your testimony -- the '782 patent you talked about is an AIA
20 patent?

21 A. Yes.

22 Q. And in contrast, the other one you didn't talk about,
23 this '488 patent, is a pre-AIA patent, right?

24 A. I --

25 Q. You don't know?

CROSS-EXAMINATION - JOSEPH MATAL

1 A. I don't have any reason to doubt you, no.

2 Q. All right. So for AIA patents, you would agree that
3 proof of inventorship is a section 112-compliant patent
4 application, meaning that a patent specification has
5 adequate written description and enablement support,
6 correct?

7 A. Yes, yes, I agree.

8 Q. Now, let's talk a little bit about the Patent Office,
9 and as a starting point, during your time at the Patent
10 Office, you never served as a patent examiner, did you?

11 A. No, I was never an examiner.

12 Q. And then after you left the Patent Office, before you
13 were in the Patent Office, you never prosecuted patent
14 applications as part of your practice, did you?

15 A. No, I did not.

16 Q. Okay. Now, you would agree, would you not, that the
17 role of the patent examiner is to make sure that each patent
18 application satisfies all the conditions of patentability of
19 the statutes which are sections 101, 102, 103, and 112,
20 right?

21 A. Yes.

22 Q. And section 112 was the one that's very pertinent to
23 a lot of the cases going on, and that is the one that has
24 enablement and written description, right?

25 A. Yes.

CROSS-EXAMINATION - JOSEPH MATAL

1 Q. Okay. And an examiner is assigned to an application.
2 That examiner then reviews the patent drawings, the written
3 description, and the claims of the application, right?

4 A. Yes, the examiner tests for all -- all conditions of
5 patentability.

6 Q. Okay. Now, you mentioned the MPEP on direct, right?

7 A. Yes, the Patent Office rules, yes.

8 Q. And then you -- I think you showed the jury one
9 portion of it, correct?

10 A. Yes.

11 Q. Okay. Can you turn in your binder to PTX1955,
12 please.

13 A. Yes.

14 Q. You recognize PTX1955 as another MPEP section?

15 A. Yes.

16 Q. All right. And it is a section on guidelines for the
17 examination of patent applications under section 112?

18 A. Yes.

19 MR. NIMROD: Your Honor, we move to admit
20 PTX1955.

21 MR. YUE: No objection.

22 THE COURT: Okay. All right. PTX1955 is
23 admitted.

24 (Exhibit admitted.)

25 BY MR. NIMROD:

CROSS-EXAMINATION - JOSEPH MATAL

1 Q. Now, do you understand -- you're reviewed this
2 section in the past, I assume?

3 A. Yes.

4 Q. Okay. And this is the section that provides detailed
5 instructions to an examiner as to how to conduct a written
6 description analysis to make sure an application is
7 compliant with section 112 in that regard, right?

8 A. Yes. Yeah, that appears to be that -- focused on
9 that, yes.

10 Q. Okay. And the exhibit is about -- or the section is
11 about 25 pages long or so, right?

12 A. I'll take your word for it.

13 MR. NIMROD: Okay. And if we just turn to page
14 .001 and we can highlight -- this right here, please, Mr.
15 Lewis.

16 BY MR. NIMROD:

17 Q. There's a section that informs an examiner of the
18 general principles regarding compliance with written
19 description. Do you see that?

20 A. Yes.

21 MR. NIMROD: And, Mr. Lewis, if we can turn to
22 page .003, it's two pages later.

23 BY MR. NIMROD:

24 Q. It's a section on examining original claims, right?

25 A. Yes.

CROSS-EXAMINATION - JOSEPH MATAL

1 Q. And then there's a special section on .004, the next
2 page, on new or amended claims, right?

3 A. Yes.

4 Q. And, in fact, you would agree, would you not, that
5 the MPEP instructs an examiner to conduct a written
6 description analysis when an applicant amends or adds new
7 claims, right?

8 A. Yes, you test for all the conditions of
9 patentability.

10 Q. Right. So when Avadel -- excuse me, Jazz added new
11 claims, the examiner, following the MPEP, would have to look
12 for written description again, right?

13 A. In every case, you test for all of the conditions of
14 patentability.

15 MR. NIMROD: Okay. Okay. Can we go to .008,
16 please.

17 BY MR. NIMROD:

18 Q. And then the section 3 here, on the bottom, there's
19 another page you talked about. It was eight pages later.
20 "Determine whether there is sufficient written description
21 to inform a skilled artisan that inventor was in possession
22 of the claimed invention as a whole at the time the
23 application was filed."

24 Do you see that?

25 A. Yes.

CROSS-EXAMINATION - JOSEPH MATAL

1 MR. NIMROD: Then if we can turn to .015,
2 please. Actually, the bottom of 14 and 15.

3 BY MR. NIMROD:

4 Q. There's a section at the bottom of 14 that says, "New
5 claims, amended claims, or claims asserting entitlement to
6 the benefit of earlier priority date or filing date
7 under..." these certain sections of the statute, right?

8 A. Yes.

9 Q. Okay. So when someone is saying, Well, I actually
10 invented something earlier in my application chain, the
11 examiner is instructed on how to make the determination
12 about whether or not that invention was kind of like there
13 all along or not, right?

14 A. Yes, you'd always test for written description
15 support to the -- whatever they claim priority to.

16 MR. NIMROD: Okay. And if we go to .025,
17 please.

18 BY MR. NIMROD:

19 Q. There's also a section called "Incorporation by
20 Reference," 2163.07(b). Do you see that?

21 A. Yes.

22 Q. And it states, "Instead of repeating some information
23 contained in another document, an application may attempt to
24 incorporate the content of another document or part thereof
25 by reference to the document in the text of the

CROSS-EXAMINATION - JOSEPH MATAL

1 specification."

2 Do you see that?

3 A. Yes.

4 Q. It goes on. "The information incorporated is as much
5 a part of the application as filed as if the text was
6 repeated in the application, and should be treated as part
7 of the text of the application as filed."

8 Do you see that?

9 A. Yes.

10 Q. Okay. So the examiner is, then, instructed on how to
11 handle things like incorporation by reference as well when
12 doing the description analysis.

13 A. Yes, the Office's rules allow incorporation by
14 reference, incorporation of material you previously
15 published elsewhere. You don't have to repeat the whole
16 thing. You can just cite it.

17 Q. Okay. And then the next sentence actually says,
18 "Replacing the identified material incorporated by reference
19 with the actual text is not new matter," right?

20 A. Yes.

21 Q. Okay. Great.

22 Now if you could look in your binder to PTX1956,
23 please. Is PTX1956 a copy of the detailed guidance to the
24 examiners for how to handle the enablement requirement
25 analysis?

CROSS-EXAMINATION - JOSEPH MATAL

1 A. Yes, section 2164, yes.

2 Q. And it's over 25 pages of -- 20 pages of detailed
3 help for the examiner on how to do that, right?

4 A. Yes.

5 MR. NIMROD: Okay. Your Honor, we move to admit
6 PTX1956, please.

7 MR. YUE: No objection.

8 THE COURT: All right. PTX1956 is admitted.

9 (Exhibit admitted.)

10 BY MR. NIMROD:

11 Q. Okay. Now, you've mentioned this MPEP section about
12 instructing or advising the Patent Office when there's been
13 claim copying, right?

14 A. Yes.

15 Q. Okay. Now, the MPEP already states that if a person
16 adds new claims, the examiner is supposed to do the 112
17 analysis, including written description, no matter what,
18 right?

19 A. Yes, you always test for written description and the
20 other conditions of patentability.

21 Q. So whether or not somebody says, I copied these exact
22 claims or not, the examiner has to go off and do that
23 analysis and see was that invention really there or not,
24 right?

25 A. Yeah, ideally they would find these -- these things

CROSS-EXAMINATION - JOSEPH MATAL

1 on their own, yes.

2 Q. Well, they have to go -- according to those 25 pages
3 I showed you in it, and go through to make sure these new
4 claims are actually supported by the person who put these
5 new claims in, right?

6 A. Yes, yes.

7 Q. Okay. And you made a comment -- I think I may have
8 got it right -- absent written description support, you're
9 basically -- you've basically stolen somebody's invention,
10 right? In your words.

11 A. Well, if you copied claims. If you didn't copy
12 anyone's claims and you don't have written description
13 support, then it's just an invalid patent. But if you
14 copied the claims from someone else and it turns out you
15 don't have that support, then it's basically the theft of an
16 invention.

17 Q. Well, in this situation, the examiner actually did
18 the analysis, right?

19 A. Well, yeah -- yeah, they -- I'm sure the examiner
20 tested -- went through standard procedure and tested for all
21 the conditions of patentability. The only thing that was
22 missing here was the examiner wasn't informed about copying,
23 if that occurred.

24 Q. So -- but the standard -- just to be clear so the
25 jury understands this, the standard for examining written

CROSS-EXAMINATION - JOSEPH MATAL

1 description and patentability, written description analysis
2 and enablement, for example, is no different when you are
3 told you're copying claims or the examiner is not told that.
4 They have to go and do the right analysis either way by the
5 same standard, right?

6 A. The substantive standard is always the same. You
7 just want to take a closer look if you know that the claims
8 are copied from another person's application.

9 Q. And there's nothing that you cited to the jury in
10 these -- I think I showed you two documents that go on for
11 about 50 pages that say there's any different standard
12 that's applied when you copy claims versus not copied
13 claims, right, you didn't cite a thing for the jury during
14 your direct?

15 A. Well, I did cite -- well, opposing counsel cited the
16 language requiring disclosure of claim copying.

17 Q. Right. My point is that once the examiner has the
18 claim, the standard for how you conduct the analysis is the
19 same. And nothing that you've cited in the MPEP shows a
20 different standard for review once the examiner has it in
21 its hands, right?

22 A. The substantive standard you would apply would be the
23 same. Again, just claim copying naturally raises questions
24 about these issues and makes you want to -- want to take a
25 closer look.

CROSS-EXAMINATION - JOSEPH MATAL

1 Q. So the examiner did take a look here, let's go to
2 JTX12.123, please. This is part of the file history you
3 already testified about?

4 A. Is this in the folder you just -- yes.

5 Q. I think you've already testified about this in your
6 expert report and your deposition, this is part of the file
7 history where the examiner is making the priority
8 determination for the claims that you called copied.

9 Do you recall that from your --

10 A. So you're on page 123?

11 Q. 123, that's right.

12 A. Let me flip to that.

13 MR. NIMROD: Can you turn to the prior page, Mr.
14 Lewis, please.

15 BY MR. NIMROD:

16 Q. Just for some context here, there's a section in the
17 examiner's office actions entitled Priority?

18 A. Yes.

19 Q. And priority determination requires the examiner to
20 determine whether or not the claims have written description
21 and enablement support, right?

22 A. Yes.

23 Q. And these are for the claims that you called copied,
24 right?

25 A. I'm not -- I'm not expressing any judgment about what

CROSS-EXAMINATION - JOSEPH MATAL

1 the evidence shows, whether it shows copying, that's for the
2 jury to decide, for the claims that are in dispute here.

3 Q. Okay. All right. And so the priority section, the
4 examiner goes through and evaluates all the different
5 applications, right, that's part of her -- her job, right?

6 A. You go through the priority chain, yes.

7 Q. Okay.

8 MR. NIMROD: Go to the next claim, please.

9 BY MR. NIMROD:

10 Q. The final determination of the examiner is,
11 therefore, the earliest priority -- this is for the Jazz
12 application -- for the claimed subject matter is
13 February 18, 2016, the effective filing date of serial
14 number 15/047,586, right?

15 A. Yes.

16 Q. So the examiner made the determination that as of the
17 application that was filed by Jazz in February 18, 2016, the
18 invention was fully disclosed and supported in accordance
19 with Patent Office rules, right?

20 A. I'm sure the office -- the examiner was informed of
21 the priority claim, knew what they were claiming earliest
22 priority to. I'm sure the examiner followed the rules, but
23 the examiner was never informed about claim copying.

24 Q. My question is: Did the examiner follow the rules,
25 look at the claims that are at issue here, and decide that

CROSS-EXAMINATION - JOSEPH MATAL

1 the invention disclosed in these Claims 1-24 were already
2 disclosed in a February 18, 2016, filing by Jazz; isn't that
3 what that filing is?

4 A. The examiner applied all of the rules, determined it
5 met the conditions of patentability, but wasn't informed
6 about claim copying.

7 Q. My question, sir, is: Did the examiner make a
8 finding that the invention of Claims 1-24 was disclosed, in
9 her view, as of February 2016? Isn't that what that means,
10 a priority determination?

11 A. The examiner issued the patent, meaning she concluded
12 it that all of the conditions were met. Again, we have a
13 separate rule requiring disclosure of claim copying.

14 Q. Sir, you're not answering my question. My question
15 is: By making the priority determination, the examiner
16 concluded that Claims 1-24 of Jazz's patent application were
17 fully supported by Jazz's application from February 2016;
18 that is the import of her ruling; isn't that correct, sir?

19 A. The examiner did a routine examination, determined
20 the claims were met, and allowed a patent to issue, yes.

21 Q. Based on that priority date?

22 A. Relying on that priority date, yes.

23 Q. Now, sir, there's nothing improper about drafting
24 claims in the continuation to try to read on a competitor's
25 product; is that right?

CROSS-EXAMINATION - JOSEPH MATAL

1 A. No, yeah, you can -- as long as you have written
2 description support, you can try to kind of capture your
3 competitor's products, yes.

4 Q. And, in fact, you're entitled to do that, right?

5 A. It's within the bounds of the law, yes, as long as
6 you have actual support and are the inventor.

7 Q. Okay. And it's not improper to amend or insert
8 claims intended to cover a competitor's product if your
9 application otherwise complies with all the requirements of
10 patentability, right?

11 A. If you meet all the conditions of patentability,
12 you're entitled to a patent, yes.

13 Q. Okay. And on the last subject, the nonpublication
14 request, the Patent Office rules allow for you to make
15 nonpublication requests, right?

16 A. It's within the letter of the law.

17 Q. All right. And then you made a comment about
18 forfeiting foreign rights, but you also noted that these
19 were continuations. Isn't it the case, sir, that no foreign
20 rights would be forfeited if your parent applications have
21 already been published and filed overseas?

22 A. Well, presumably, if you're seeking another patent,
23 it's different, and you have a reason for seeking that other
24 patent. And in that other patent where you sought
25 nonpublication, you're not going to be able to seek --

REDIRECT EXAMINATION - JOSEPH MATAL

1 obtain any foreign rights.

2 Q. One final question, sir. It is true that you have
3 not given any opinion regarding whether Jazz copied Claim 24
4 of the '782 patent, have you?

5 A. I note that they are similar, but -- it's the jury's
6 job to decide what happened in this case.

7 Q. Actually, your opinion was on the similarity of
8 Claims 1-4, sir, wasn't it?

9 A. Yes, those are the initial claims that are alleged to
10 have been copied.

11 Q. And you didn't have any portion of your opinion where
12 you opined that Claim 24 was similar, did you?

13 A. Well, I mean --

14 Q. Sir, did -- my question is: Did you give an opinion
15 like that in your expert report on Claim 24; yes or no?

16 A. I analyzed the initial claims, other claims like the
17 ones that issued that depend from that and obviously related
18 to it. But, no, I focused on the initial claims that Jazz
19 copied after Avadel had published its patent claims.

20 Q. Okay.

21 MR. NIMROD: No further questions, Your Honor.

22 THE COURT: All right. Redirect?

23 REDIRECT EXAMINATION

24 BY MR. YUE:

25 Q. Just two questions, Mr. Matal. Do examiners

DIRECT EXAMINATION - VIVIAN A. GRAY

1 sometimes make mistakes?

2 A. Unfortunately, yes. Yes, they -- they work very hard
3 but there's a lot of record to review, and occasionally
4 patents issue that shouldn't have.

5 Q. And is an examiner more likely to make a mistake when
6 claim copying hasn't been disclosed?

7 MR. NIMROD: Objection.

8 MR. CERRITO: Objection, Your Honor.

9 MR. YUE: Withdraw the question.

10 Thank you, Your Honor.

11 THE COURT: All right. Mr. Matal, you may step
12 down, thank you, sir.

13 MR. SILVER: Your Honor, Avadel next calls
14 Vivian Gray to the stand.

15 THE COURT: All right. Ms. Gray, please take
16 the stand.

17 VIVIAN A. GRAY, having been called on the part
18 and behalf of the Defendant as a witness, having first
19 affirmed to tell the truth, testified as follows:

20 DIRECT EXAMINATION

21 BY MR. SILVER:

22 Q. Good afternoon, Ms. Gray.

23 Can you please introduce yourself to the jury?

24 A. Yes, my name is Vivian Gray, I live in Hockessin,
25 Delaware. I am a consultant for the pharmaceutical

DIRECT EXAMINATION - VIVIAN A. GRAY

1 industry. I'm self-employed, my company name is VA Gray
2 Consulting.

3 Q. And what exactly does VA Gray Consulting do for the
4 pharmaceutical industry?

5 A. So, I advise clients on anything that has to do with
6 dissolution testing. Mainly, I would advise them on their
7 FDA filings, their method development, troubleshooting.

8 Q. Ms. Gray, the jury has heard a little bit about
9 dissolution in this case, can you tell them what is
10 dissolution in a pharmaceutical context?

11 A. So it's when a powder enters into a liquid and forms
12 a solution. Another way of looking at it, it tests the
13 speed and amount of drug dissolved over time.

14 Q. Ms. Gray, how long have you worked in the
15 pharmaceutical dissolution field?

16 A. About 40 years.

17 Q. Where did you begin your career?

18 A. At the USP.

19 Q. I'm not familiar with -- I now know because I know
20 you know what the USP is, but the jury may not know.

21 What's the USP?

22 A. So the United States Pharmacopeia. The United States
23 Pharmacopeia is a nonprofit, nongovernmental,
24 standard-setting organization for the pharmaceutical
25 industry. The USP is a book, essentially, full of

DIRECT EXAMINATION - VIVIAN A. GRAY

1 standards, legal standards and test procedures. This is one
2 volume of five. And now it's online, so it's no longer
3 using all this paper.

4 Q. And how does the USP relate to dissolution in
5 particular?

6 A. In the USP there are many standards that relate to
7 the dissolution procedure and also in the test procedures
8 for the dosage forms. There is a dissolution test in all
9 those dosage forms.

10 Q. So, Ms. Gray, you mentioned you started your career
11 at the USP. What did you do after you left the USP?

12 A. Well, first off, at USP I had various positions,
13 mainly in the laboratory. But my last position was called
14 the scientific liaison, and my job was to interact with the
15 expert committee -- let's see, the volunteer expert
16 committee on dissolution.

17 So this expert committee is outside the USP,
18 it's not staff. These are the experts that make the
19 decisions or make the approval of any standards that go into
20 the USP.

21 So I had a relationship with them as a liaison.
22 And then after I left USP and was no longer staff, I was
23 elected to be on this committee, this expert committee.

24 Q. How long did you serve on that expert committee?

25 A. Over 20 years, and I'm serving to this day.

DIRECT EXAMINATION - VIVIAN A. GRAY

1 Q. Have you authored any publications relating to
2 dissolution, Ms. Gray?

3 A. Yes, over 60 peer-reviewed articles, including about
4 seven book chapters on dissolution. And I have coauthored
5 this book, the Handbook for Dissolution.

6 Q. And do you have any other roles related to the
7 publishing of dissolution-related research?

8 A. Yes, I am a managing editor of a quarterly journal,
9 Dissolution Technologies, that is -- that contains
10 peer-reviewed articles on dissolution.

11 MR. SILVER: Your Honor, at this time Avadel
12 proffers Ms. Gray as an expert in dissolution and
13 dissolution testing.

14 MS. MURPHY: No objection, Your Honor.

15 THE COURT: All right. Ms. Gray may testify as
16 an expert in dissolution and dissolution testing.

17 MR. SILVER: Thank you, Your Honor.

18 And, Your Honor, I'm just going to admit three
19 exhibits that are not objected to so we can run more
20 smoothly through the examination, if that's okay. And those
21 are DTX570, DTX564, and DTX585.

22 MS. MURPHY: No objection, Your Honor.

23 THE COURT: All right. DTX570, DTX564, DTX585
24 are admitted.

25 (Exhibits admitted.)

DIRECT EXAMINATION - VIVIAN A. GRAY

1 MR. SILVER: Thank you.

2 BY MR. SILVER:

3 Q. Ms. Gray, have you prepared demonstrative slides to
4 assist in your presentation today?

5 A. Yes, I did.

6 MR. SILVER: Mr. Jarrett, if we could pull those
7 up, please. There we go.

8 BY MR. SILVER:

9 Q. So, Ms. Gray, why don't we start off by telling the
10 jury, what were you asked to do in this case?

11 A. So I was asked to assess whether the patent disclosed
12 the MAMM formulation, and did it disclose the MAMM
13 formulation with the dissolution profile using the
14 dissolution conditions.

15 Q. I think the last witness's testimony was focused on
16 the '782 patent. Which patent are you focused on?

17 A. '488.

18 Q. And what did you conclude, Ms. Gray?

19 A. That -- that no -- no MAMM -- excuse me, no MAMM
20 formulation was disclosed and no MAMM formulation was
21 disclosed that had the dissolution profile.

22 Q. And we'll come back to your conclusion in a minute,
23 but let's first talk -- we've heard about a person of skill
24 in the art, I'm going to refer to them as a skilled
25 scientist.

DIRECT EXAMINATION - VIVIAN A. GRAY

1 How, if at all, do you relate to a skilled
2 scientist?

3 A. So I have a bachelor's degree with 6 to 10 years of
4 experience in the pharmaceutical or related industries. I'm
5 also a member of a team. And also my expertise in
6 dissolution lends itself to helping with -- or drug delivery
7 and other pharmaceutical characteristics.

8 Q. So based on your experience, would you have qualified
9 as a person of skill or a skilled scientist relevant to the
10 '488 patent in 2011?

11 A. Yes, I would.

12 MR. SILVER: Okay. We can take that down, Mr.
13 Jarrett.

14 BY MR. SILVER:

15 Q. Now, you mentioned dissolution, Ms. Gray, and we've
16 seen various graphs showing dissolution.

17 Can you explain to the jury what a dissolution
18 profile is?

19 A. Okay. All right. So you see on the screen, look at
20 one side as the percent dissolved, that's how much of the
21 drug that actually goes into dissolution and on the bottom
22 is hours.

23 So at the first point, at 1 hour, you have about
24 38 percent dissolved. At the second point, at 2 hours, you
25 have about 62 percent dissolved. And then all the way out

DIRECT EXAMINATION - VIVIAN A. GRAY

1 to 6 hours, you have 100 percent released.

2 Q. And how do you get this dissolution profile, how is
3 dissolution measured?

4 A. Let's see, how is it measured? You have apparatus --
5 well, first off, the procedure for measuring dissolution is
6 in the USP, it's a general chapter called "Dissolution."

7 And in that general chapter, it talks about
8 many, many ways -- how you would do this procedure and it
9 also describes seven apparatus.

10 Q. Are any of those apparatus relevant to the '488
11 patent?

12 A. Yes, Apparatus 2, 3 and 7.

13 Q. Okay. Let's take a look at those Apparatus. Excuse
14 me. Slides are a bit out of order, I'm afraid.

15 MR. SILVER: Mr. Jarrett, can we have DDX-VG-9,
16 this is good, DDX-VG-10.

17 BY MR. SILVER:

18 Q. Ms. Gray, can you tell the jury what's shown on the
19 slide, please.

20 A. So these are the three apparatus that I just said
21 were involved in the patent. And let me just talk about the
22 one on the left at first. Because this is -- I just want
23 you to get an idea of what this dissolution test is all
24 about.

25 You have a glass container, we call it a vessel,

DIRECT EXAMINATION - VIVIAN A. GRAY

1 then you have this liquid in there, the liquid is called the
2 dissolution medium, and then you drop a dosage form into the
3 vessel, you start the paddle and that starts the
4 dissolution. And then you take samples over time, so this
5 is -- essentially, I just wanted to describe dissolution to
6 you.

7 Now, I'll talk about these different apparatus.

8 So with Apparatus 2, it's called the paddle, and
9 you can see that it is stirring, there's a gentle stirring
10 motion, and it's measured in revolutions per minute or rpm.
11 In this particular case, it's 50 rpm. So as you can see,
12 that's a very gentle rotation and that's similar to how you
13 might be mixing sugar into your tea, a very gentle rotation.

14 Then the Apparatus 3, which is called the
15 reciprocating cylinder, you can see that this apparatus is
16 different. It goes up and down. It has an up-and-down
17 stroke. It is similar to me as shaking your salad dressing,
18 you know, it's more of an up-and-down motion, and it can be
19 quite vigorous. And in the patent, the dip rate, it's
20 called dips per minute, and it is 30 dips per minute.

21 Apparatus 7 has the same mechanism as Apparatus
22 3, in that it is an up-and-down motion, similar, like,
23 again, to shaking your salad dressing, and it also has a
24 fairly vigorous motion up and down, and also is -- dips per
25 minute, measured in dips per minute.

DIRECT EXAMINATION - VIVIAN A. GRAY

1 Q. Thank you, Ms. Gray.

2 So, now that we understand the dissolution
3 apparatus, let's look briefly at the summary chart of the
4 examples of the patent and focusing solely on the apparatus
5 column, can you tell the jury which Apparatus are used in
6 the examples of the '488 patent?

7 A. So we have USP 2 and USP 7.

8 Q. Thank you, Ms. Gray.

9 MR. SILVER: Why don't we take that down for
10 now, Mr. Jarrett.

11 BY MR. SILVER:

12 Q. So, Ms. Gray, I have a question for you. If you
13 tested the same dosage form in Apparatus 2 and Apparatus 7,
14 would you get the same dissolution profile?

15 A. No.

16 Q. And why do you say that?

17 A. Well, for one thing, as I just showed you, the mixing
18 is different. And so you can't -- you can't predict that if
19 you got a certain profile in, let's say, in Apparatus 2,
20 that you would get it in Apparatus 7 because you have this
21 extreme difference in the way that it gets mixed.

22 Q. Fair enough.

23 What conclusions can you draw with dissolution
24 results obtained using Apparatus 7 about how a drug would
25 dissolve in Apparatus 2?

DIRECT EXAMINATION - VIVIAN A. GRAY

1 A. You can't.

2 Q. And why not?

3 A. Because, like I just explained, they are entirely
4 different apparatus. They mix in a different way, and with
5 the paddle, it's 50 rpm, and with the Apparatus 7, it's 30
6 dips per minute, so it's just apples and oranges.

7 Q. Would a person of skill in the art or the skilled
8 scientist that we're talking about in 2011 have known that,
9 Ms. Gray?

10 A. Yes, yes.

11 Q. And how would they have known that?

12 A. In a couple of ways. One is that if you look in the
13 USP, they describe these apparatus. And it would describe
14 for a paddle, that -- the Apparatus 2 at 50 rpm and then the
15 Apparatus 7 would be in dips per minute, so you would
16 already know just by reading that, that the mechanism, the
17 mixing mechanism is different.

18 And then my book, we do talk about how you can't
19 really, you can't really say that your product would
20 dissolve the same in one apparatus or another because of the
21 challenges of the different mixing mechanism.

22 And, you know, this includes flow pattern,
23 turbulence, all kinds of other things other than just simple
24 mixing.

25 Q. Ms. Gray, were you in court earlier this week when

DIRECT EXAMINATION - VIVIAN A. GRAY

1 Mr. Allphin testified?

2 A. Yes.

3 Q. And do you recall him testifying that results between
4 USP 2, the paddle, and USP 7, the up-and-down motion, don't
5 really differ that much?

6 A. Yes, I do.

7 Q. And do you agree with that testimony?

8 A. No.

9 Q. And why not?

10 A. Like I just said, you know, these two apparatus are
11 quite different. The speed is 50 rpms with the paddle and
12 30 dips per minute with the Apparatus 7. You have this
13 up-and-down motion with Apparatus 7 and you have this gentle
14 mixing with Apparatus 2.

15 Q. Other than you telling us that, Ms. Gray, is there
16 any support for that in the literature otherwise?

17 A. There is an article written by Dr. Rohrs that I have
18 seen.

19 Q. Okay. Please turn in your binder to DTX570 which has
20 been admitted into evidence.

21 Okay. What is DTX570?

22 A. This is the article written by Dr. Rohrs and the work
23 that he did with his group of scientists, and it is about
24 comparing Apparatus 3 to apparatus -- to other apparatus and
25 see if there is any way to correlate or understand any kind

DIRECT EXAMINATION - VIVIAN A. GRAY

1 of predictability going from one apparatus to another.

2 Q. And what did Dr. Rohrs and his team conclude?

3 A. He concluded that you can't make that assumption.
4 You can't make an assumption that there's predictability
5 going from one apparatus to another.

6 Q. Okay. And why not?

7 A. Like I've said already, you know, we know that these
8 two apparatus are quite different as far as their mixing and
9 there's really no way to compare the way that -- well,
10 hydrodynamics is a big word but it just talks about the flow
11 and the way it goes around and around.

12 Q. Now, you mentioned before --

13 A. I --

14 Q. Sorry, I didn't mean to cut you off. Were you
15 finished?

16 A. Well, up and down with Apparatus 7 and around and
17 around with that paddle.

18 Q. Thank you.

19 You mentioned Rohrs looked at Apparatus 3. The
20 patent talks about Apparatus 7. So how, if at all, does the
21 difference between Apparatus 3 and Apparatus 7 impact your
22 view of Rohrs?

23 A. Well, when I showed the three apparatus earlier, you
24 could see that Apparatus 3 and Apparatus 7 both had that
25 same reciprocating up-and-down motion, so I have no

DIRECT EXAMINATION - VIVIAN A. GRAY

1 difficulty with saying that behavior of Apparatus 3 and
2 Apparatus 7 are very, very similar.

3 Q. Earlier this week, Mr. Allphin testified about a
4 signed statement he filed with the Patent Office; do you
5 recall that?

6 A. Yes.

7 Q. Okay. And Mr. Allphin indicated that he believed
8 that the patent examiner would have known that Mr. Allphin
9 was clearly referring to testing conducted in Apparatus 7;
10 do you recall that?

11 A. Yes.

12 Q. And do you agree with that statement?

13 A. No.

14 Q. Why not?

15 A. Well, because Apparatus 7 is -- well, first of all,
16 I'll say that I was a little bit upset that he filed a
17 document, signed a document with a dissolution method that
18 left out the apparatus, which is absolutely a critical part
19 of the method, but any way, one of the reasons I was feeling
20 uncomfortable about that is that Apparatus 7 is a very
21 obscure apparatus.

22 It's only used in -- we have in USP, we have
23 over maybe 2,000 monographs that have these apparatus in
24 them and maybe one, two might be with Apparatus 7. So it's
25 obscure. It's not an apparatus that anyone, unless they

DIRECT EXAMINATION - VIVIAN A. GRAY

1 were really specialized with dissolution, would know.

2 So not only is the apparatus obscure; knowing
3 that that apparatus has these 30 dips per minute and the
4 flow rate associated with it is a stretch too far. In other
5 words, if you barely know anything about the apparatus, to
6 know that it would have that kind of characteristic is
7 something that I find hard to believe.

8 Q. Thank you, Ms. Gray.

9 So we've talked about the apparatus. Earlier
10 you mentioned a medium. What is medium?

11 A. So medium is the liquid, as I showed on my little,
12 you know, diagram there that there was this vessel, this
13 glass container, and it had liquid in it. And that liquid
14 is the -- we call that the dissolution medium.

15 Q. And is there only one dissolution medium?

16 A. No, no. There are many, many dissolution mediums.

17 Q. I'm sure the jury has probably learned more about
18 dissolution than they want to know. We won't walk through
19 them all, but can you use water as a dissolution medium?

20 A. It's not favored. I mean, you can use it, but it's
21 discouraged, yes.

22 Q. And turn in your binder to DTX564, which is admitted
23 into evidence and we'll put it up on the screen.

24 Are you there, Ms. Gray?

25 A. Yes, I am.

DIRECT EXAMINATION - VIVIAN A. GRAY

1 Q. What is DTX564?

2 A. So this is an FDA guidance for industry about
3 dissolution testing.

4 Q. All right. And what year was this guidance issued?

5 A. In 1997.

6 Q. If we turn to the page numbered DTX564.0015.

7 A. Yes.

8 Q. We'll put it up on the screen.

9 A. Mm-hmm.

10 Q. Can you tell the jury what the FDA had to say about
11 the use of water as a dissolution medium?

12 A. Okay. If you look down at the last paragraph, that
13 fairly large paragraph, and go down about eight or nine
14 lines, it says, "Use of water as a dissolution medium also
15 is discouraged because test conditions, such as pH and
16 surface tension, can vary depending on the source of the
17 water and may change during the dissolution test itself due
18 to the influence of the active and inactive ingredients."

19 In other words, because of the wide pH range and
20 other things, this is not an ideal, not really. It's a
21 fairly discouraged dissolution medium.

22 Q. Now, we've heard about pH throughout the trial, but
23 how is pH relevant to dissolution?

24 A. Well, in two ways: The pH -- a formulation could
25 very well have pH-dependent or pH-independent

DIRECT EXAMINATION - VIVIAN A. GRAY

1 characteristics and the drug itself, its solubility, may --
2 you know, how it dissolves, would -- could very well be
3 influenced by pH also.

4 Q. Can you give us an example of an ingredient that has
5 a -- that's impacted by pH?

6 A. The MAMM formulation.

7 Q. Okay. Speaking of MAMM, Ms. Gray, let's go to
8 DDX-VG-15. And can you remind us of your ultimate opinions
9 in this case?

10 A. Well, I -- my ultimate opinions are that there's no
11 MAMM formulation disclosed in the patent and there's no MAMM
12 formulation that has the required dissolution profiles.

13 Q. So now that we know a little bit more about
14 dissolution, I want to talk about why that is. So can you
15 tell the jury why it is that you came to these conclusions?

16 A. Well, I examined the patent and especially looking at
17 the examples in the patent, and you can see that there are
18 12 examples here of, you know, testing, you know, in the
19 patent. And right there in Column No. 1 or Column No. 2,
20 you can see that none of these examples included the MAMM
21 formulation.

22 Q. Well, let's take a look at example 2. Example 2
23 doesn't use MAMM, but it's in the claimed USP 2 apparatus at
24 50 rpm in deionized water, right?

25 A. That's true.

DIRECT EXAMINATION - VIVIAN A. GRAY

1 Q. Okay. So why doesn't that give you an indication as
2 to how a MAMM formulation would behave in that environment?

3 A. Well, first off, MAMM is not tested. What they did
4 test was a compound that is what we call pH independent.
5 And so it would obviously behave differently from a
6 pH-dependent to a pH-independent formulation.

7 Q. And what is your basis for saying that a
8 MAMM-containing formulation would behave differently than
9 the tested formulation?

10 A. Well, like I said -- well, like we agreed that MAMM
11 is pH dependent. So, therefore, it -- it functions
12 differently.

13 Q. Ms. Gray, turn in your binder, please, to DTX585.
14 And can you tell the jury what this is? And
15 we'll put it on the screen as well.

16 A. So this is another FDA guidance.

17 Q. And what year was this FDA guidance issued?

18 A. 1997.

19 Q. Let's turn to page 0018, using the numbers at the
20 bottom, and focus in on at the top.

21 And, Ms. Gray, can you tell the jury how does
22 the FDA characterize the addition or the deletion of a
23 release-controlling excipient?

24 A. They characterized it as having a significant impact
25 on the formulation quality and performance.

DIRECT EXAMINATION - VIVIAN A. GRAY

1 Q. And if one were to replace the formulation tested in
2 example 2 with the MAMM co-polymer, would that be the
3 addition or deletion of a release-controlling excipient?

4 A. Yes, it would.

5 Q. And when the FDA calls something a "significant
6 impact," how does that impact the dissolution profile of the
7 product?

8 A. It could have a significant impact and change.

9 Q. And so what does the FDA require in that event when
10 it comes to dissolution?

11 A. So they require that with this scale-up formulation
12 that you do dissolution testing.

13 Q. So you would need to do new dissolution testing?

14 A. Yes.

15 Q. Couldn't rely on the old profile?

16 A. No, that's why you do a new one, to compare it with
17 the profile and see what the change is.

18 Q. Thank you.

19 MR. SILVER: You can take that down.

20 BY MR. SILVER:

21 Q. So based on what the FDA has said and what a skilled
22 scientist would understand about the performance of a MAMM
23 formulation when tested in accordance with -- well, strike
24 that.

25 Based on what the FDA has said about changes

DIRECT EXAMINATION - VIVIAN A. GRAY

1 to -- of excipients, what would a skilled scientist
2 understand about the performance of a MAMM-containing
3 formulation when tested in accordance with example 2 of the
4 '488 patent in terms of how it would behave in the
5 dissolution environment?

6 A. There's no way of knowing.

7 Q. Ms. Gray, we talked about the examples. Is there
8 anything outside the examples of the patent that conveys
9 whether the inventors had a MAMM-contained formulation or
10 the MAMM formulation with the required dissolution profile?

11 A. No.

12 Q. Nothing at all?

13 A. No.

14 Q. Is MAMM mentioned in the patent?

15 A. There is -- MAMM co-polymer is mentioned once, and
16 you can see the patent pages all laid out there. There are
17 30, over 30. And you can see -- zero in on that one page
18 and in the middle of the page, it mentions in a paragraph
19 the MAMM co-polymer, that's it.

20 Q. So from your perspective, what would one, a skilled
21 scientist, looking at this patent, understand about the
22 performance of a MAMM -containing formulation?

23 A. Nothing.

24 Q. So in view of everything we've just discussed,
25 Ms. Gray, what is your opinion regarding the '488 patent?

CROSS-EXAMINATION - VIVIAN A. GRAY

1 A. So there's no MAMM formulation disclosed in the
2 patent. There's no MAMM formulation with the required
3 dissolution profiles disclosed in the patent.

4 MR. SILVER: Thank you, Your Honor, and
5 Ms. Gray. We pass the witness.

6 THE COURT: All right. At this time we're going
7 to give the jury the lunch break.

8 (Whereupon, the jury left the courtroom.)

9 THE COURT: All right. We'll come back at two
10 o'clock.

11 (Recess taken.)

12 MR. SILVER: Your Honor, I wanted to tell you
13 something, but I'll do it at the next break we have, if
14 that's okay.

15 THE COURT: All right.

16 MR. SILVER: All right. Thank you.

17 MS. MURPHY: May we approach with binders?

18 Thanks.

19 CROSS-EXAMINATION

20 BY MS. MURPHY:

21 Q. Nice to see you again, Ms. Gray. My name is Liz
22 Murphy. I'm one of Jazz's attorneys.

23 Ms. Gray, you are the president of a consulting
24 firm that offers dissolution testing services, correct?

25 A. I'm sorry. Would you say that again?

CROSS-EXAMINATION - VIVIAN A. GRAY

1 Q. You are the president of a consulting firm that
2 offers dissolution testing services, correct?

3 A. Correct.

4 Q. You and your firm could run dissolution testing on
5 any apparatus, right?

6 A. Yes.

7 Q. Including Apparatus 2?

8 A. Yes.

9 Q. And Apparatus 7, which you describe as somewhat
10 obscure?

11 A. Yes.

12 Q. And Apparatus 3?

13 A. Yes.

14 Q. And you could do dissolution testing in different
15 kinds of media, right?

16 A. Yes.

17 Q. Including deionized water?

18 A. Yes.

19 Q. And just water?

20 A. Just water? I don't know that that's a medium that I
21 would use.

22 Q. Okay. But it could be done using your firm, right?

23 A. Well, if I -- if -- what it is is my firm hires a
24 laboratory to do the testing, okay, and then we respond to
25 whatever we're requested to do and whatever requested

CROSS-EXAMINATION - VIVIAN A. GRAY

1 testing we're supposed to make. So it's just -- I can't
2 think of a situation where I would hire somebody to test
3 just water, but I guess it's possible.

4 Q. In any event, you and your firm direct dissolution
5 testing under a variety of conditions, including the ones
6 you talked about today, correct?

7 A. Correct.

8 Q. And you've testified as an expert before in other
9 cases, right?

10 A. I have.

11 Q. And would you agree with me that in the vast majority
12 of those cases, you've presented dissolution testing to
13 support your opinions?

14 A. Yes, I did.

15 Q. Okay. And you could have supported your opinions in
16 this case with dissolution testing, correct?

17 A. I wasn't asked to do any dissolution testing.

18 Q. You could have requested samples from Avadel, right?

19 A. I wasn't asked to request samples from Avadel.

20 Q. You could have requested samples from Avadel and
21 tested them in Apparatus 2, right?

22 A. I wasn't requested to do such a thing.

23 Q. But you didn't, you did not, in fact, do that for
24 this case, right?

25 A. I didn't do any testing.

CROSS-EXAMINATION - VIVIAN A. GRAY

1 Q. And you didn't do any testing under any apparatus,
2 including the ones we talked about in this case, right?

3 A. Correct.

4 Q. Now, you just told the jury that the type of
5 apparatus is an absolutely critical part of the method,
6 right?

7 A. Yes.

8 Q. And you told the jury that the type of dissolution
9 media is also critical to the operation, right?

10 A. Yes, it's an important component of the method, yes.

11 Q. And it's your opinion that those components matter
12 for purposes of the formulations described in the patent,
13 correct?

14 A. I don't know that I said that in particular that the
15 dissolution media -- well, yes, I did, okay.

16 Q. Okay. But you presented no testing to support that
17 opinion that those differences would actually matter in the
18 context of this patent, correct?

19 A. That is true.

20 Q. You presented no testing at all in this case, right?

21 A. I did not.

22 Q. Now, the '488 patent, which is the patent you were
23 discussing before, relates to formulations of GHB, right?

24 A. Correct.

25 Q. You have not studied the properties of GHB for this

CROSS-EXAMINATION - VIVIAN A. GRAY

1 case, correct?

2 A. I have not.

3 Q. And you haven't presented any testing on the
4 properties of GHB, correct?

5 A. I have not.

6 Q. And you haven't studied or presented on any
7 properties such as solubility of GHB for this case, right?

8 A. No.

9 Q. It's also your opinion that the pH of deionized water
10 varies, correct?

11 A. Yes.

12 Q. And you rely on literature for that opinion?

13 A. Yes.

14 Q. You didn't actually measure the pH of deionized water
15 for purposes of your opinion, right?

16 A. Yes, and I will add that it is -- you can't measure
17 the pH of water.

18 Q. You can still measure the pH of deionized water, it's
19 not a complicated thing to do, right?

20 A. You cannot reliably measure the pH of water.

21 Q. But you relied on literature that purports to say
22 what the pH of deionized water is in this case, right?

23 A. Yes, there was -- I don't know if they used a pH
24 electrode, I think they did conductivity-something,
25 something else other than a pH meter.

CROSS-EXAMINATION - VIVIAN A. GRAY

1 Q. The authors of those papers were able to measure the
2 pH of deionized water, right?

3 A. Yes. Yes.

4 Q. Okay. But that's something that you did not do for
5 this case, right?

6 A. No, it's -- we know in the lab that it's unreliable
7 to measure the pH of water because there's -- it absorbs
8 carbon dioxide. And, frankly, when you try to measure the
9 pH of water, it just drifts, you can't get an accurate
10 measurement.

11 Q. And isn't it true that the influence of an active
12 ingredient can change the pH of deionized water?

13 A. I think that's what the FDA says, that the pH -- we
14 can go back and look at the definition, but that is
15 something that can happen.

16 Q. And GHB is the active ingredient at issue in this
17 case, right?

18 A. Correct.

19 Q. And you've conducted no analysis or study of the
20 influence of GHB on the pH of deionized water, correct?

21 A. I did no testing.

22 Q. You told the jury that the '488 patent lacks written
23 description because there's no example that contains MAMM
24 copolymers, correct?

25 A. I didn't use those words.

CROSS-EXAMINATION - VIVIAN A. GRAY

1 Q. It's your --

2 A. My words were that the patent did not disclose the
3 MAMM formulation.

4 Q. It's your opinion that MAMM formulations are
5 different from formulations that do not contain MAMM,
6 correct?

7 A. Repeat that question, let me make sure I got it
8 right.

9 Q. In your opinion, the properties of MAMM formulations
10 are different than the properties of formulations that do
11 not contain MAMM, correct?

12 A. I pointed out that there was a difference and some
13 MAMM was a pH-dependent function excipient and there were
14 others that were pH independent.

15 Q. And it's your opinion that the properties of MAMM,
16 specifically the pH dependency of MAMM, makes a difference
17 that's relevant to the patent at issue, right?

18 A. Well, my opinion is, is that there's no MAMM
19 formulation disclosed in the patent.

20 Q. You're not a formulator, correct?

21 A. That is correct.

22 Q. You're not an expert in pharmaceutical formulations,
23 right?

24 A. That's correct.

25 Q. And you have no expertise in the properties of MAMM

CROSS-EXAMINATION - VIVIAN A. GRAY

1 copolymers, right?

2 A. I do not.

3 Q. In fact, prior to your involvement in this case, you
4 did not even know what MAMM copolymers were, correct?

5 A. That is true.

6 Q. And despite this lack of knowledge or expertise, you
7 also presented no testing to support your opinions in this
8 case, right?

9 A. I also presented no what? I'm sorry, repeat the
10 sentence.

11 Q. Despite your lack of knowledge or expertise regarding
12 the properties and formulations of MAMM copolymers, you also
13 did no testing to support your opinions in this case, right?

14 A. I did no testing.

15 Q. And you told the jury that you disagreed with what
16 Mr. Allphin said on the stand earlier this week?

17 A. I don't know if I said I disagreed with him, I just
18 pointed out some of the things that bothered me that he
19 said.

20 Q. Are you suggesting that he was lying on the stand?

21 A. I would not suggest that.

22 Q. And Mr. Allphin actually worked with GHB
23 formulations, correct?

24 A. I am assuming so since he was the inventor of this
25 patent.

DIRECT EXAMINATION - WILLIAM CHARMAN

1 MS. MURPHY: Thank you, no further questions.

2 MR. SILVER: No redirect, Your Honor. Thank
3 you, Ms. Gray, and I'll come help you with your binders.

4 May I approach, Your Honor?

5 THE COURT: Yes.

6 MR. SILVER: Thank you, Your Honor.

7 THE COURT: Ms. Gray, you may step down.

8 All right. Avadel, you may call your next
9 witness.

10 MR. SCHULER: Your Honor, Avadel calls for its
11 next witness Dr. William Charman.

12 THE COURT: Dr. Charman, please take the stand.

13 WILLIAM CHARMAN, having been called on the part
14 and behalf of the Defendant as a witness, having first
15 affirmed to tell the truth, testified as follows:

16 DIRECT EXAMINATION

17 BY MR. SCHULER:

18 Q. Dr. Charman, can you introduce yourself to the jury,
19 please.

20 A. Yes, good afternoon. My name is William or Bill
21 Charman. I am a professor in pharmaceutical science. I'm a
22 pharmaceutical formulator. And I work at Monash University
23 in Melbourne, Australia.

24 Q. What has been your role as a professor at Monash,
25 Doctor?

DIRECT EXAMINATION - WILLIAM CHARMAN

1 A. I have taught undergraduate and graduate courses in
2 pharmacy and pharmaceutical science. I have led many
3 research programs focused broadly around drug delivery and
4 the formulation of medicines. I have had a particular
5 interest in difficult-to-formulate medicines. Most recently
6 there, I was the dean of the school, and I led the school to
7 becoming the number one ranked school of pharmacy in
8 pharmaceutical science in the world.

9 Q. What is your educational background?

10 A. I have a pharmacy degree from Australia at an
11 undergraduate level. I then came to the United States and
12 completed my graduate research and PhD studies at the
13 University of Kansas.

14 Q. What did you do after obtaining your PhD in Kansas?

15 A. I worked as a formulator in a pharmaceutical company
16 in New York for a number of years.

17 Q. How often are you back in the United States?

18 A. About every other month over the last many years.

19 Q. Now, what organizations -- what types of
20 organizations have you worked with during the course of your
21 career?

22 A. I've advised governments around the world. I have
23 advised the World Health Organization. I've had advisory
24 roles at the Gates Foundation. And I've advised probably
25 well over 20 pharmaceutical companies here in the United

DIRECT EXAMINATION - WILLIAM CHARMAN

1 States and in Europe and in the UK.

2 Q. Now, what specifically did you work with the Gates
3 Foundation?

4 A. I was an inventor, co-inventor of a new approach for
5 a single tablet to cure malaria.

6 Q. Have you worked on any formulations that have become
7 FDA-approved medicines?

8 A. Yes, numerous products.

9 Q. Can you provide some examples?

10 A. Those products include medicines in the field of pain
11 relief, infectious disease, cardiovascular disease and
12 others.

13 Q. What is your particular expertise within the field of
14 formulation science?

15 A. Drug delivery broadly, but more specifically working
16 with drugs that are difficult to formulate. Formulation is
17 a pretty interesting thing. And if you've got a drug with
18 difficult properties, how do you then develop a formulation
19 so the drug, the raw material can then become a medicine.

20 Q. And what -- I guess what is formulation, for those of
21 us that aren't in the field?

22 A. The drug is the raw material. We often call the
23 final product the drug, but actually the drug itself is the
24 raw material. What you take as a patient is a medicine, the
25 medicine is a formulated drug.

DIRECT EXAMINATION - WILLIAM CHARMAN

1 So every medicine that you take, every medicine
2 in a medicine cabinet has been formulated. And the reason
3 that the raw material has to be formulated to produce the
4 medicine is to ensure that it works reproducibly in a
5 patient each time they take it, that it provides the benefit
6 that the patient expects from it.

7 You can't just take the raw drug on its own.
8 How do you take it? It's variable. It's possibly not
9 stable. So the confidence that a patient has is in the
10 formulated medicine, not the drug on it's own.

11 Q. Doctor, have you authored any publications related to
12 your research in the field?

13 A. Yes, around about 390 publications and
14 communications.

15 Q. And have you received any awards relating to your
16 research?

17 A. Yes, awards from the United States, from Europe, and
18 from Australia.

19 Q. And have any of those awards related to controlled
20 release?

21 A. Yes, there's one award in 2006. There's an
22 international society called, if you believe it, the
23 Controlled Release Society, that's focused on the controlled
24 release of medicines. And that society awarded me, in 2006,
25 the career achievement award for oral drug delivery for

DIRECT EXAMINATION - WILLIAM CHARMAN

1 modified controlled-release medicines.

2 MR. SCHULER: Your Honor, Avadel proffers
3 Dr. Charman as an expert in formulation science and
4 sustained- and modified-release technology.

5 MR. CALVOSA: No objection, Your Honor.

6 THE COURT: Okay.

7 MR. SCHULER: Can you summarize the opinions --

8 THE COURT: In those areas.

9 BY MR. SCHULER:

10 Q. Doctor, can you summarize the opinions you're here to
11 offer today?

12 A. Yes, I have opinions with respect to each of the '488
13 and '782 Jazz patents. My opinion with respect to the '488
14 patent is that it is invalid for lack of written
15 description. It is also invalid for lack of enablement.
16 For the '782 patent, the same opinions, lack of written
17 description and lack of enablement.

18 And I also have some opinions that I will share
19 with regard to inventorship.

20 Q. Have you prepared a set of demonstratives to assist
21 with your testimony here today?

22 A. Yes, I have.

23 Q. Now, let's begin with your opinions on written
24 description and inventorship from -- first of all, written
25 description, what were you asked to analyze in terms of

DIRECT EXAMINATION - WILLIAM CHARMAN

1 written description?

2 A. I was asked to analyze to the expectations or the
3 standards for written description, as to whether or not the
4 '488 and '782 patent met those requirements.

5 Q. And in terms of what materials that you examined,
6 what were you examining those patent specifications for?

7 A. With regard to written description?

8 Q. Yes.

9 A. There are two aspects. With regard to the written
10 description, that's the specification part of the patent.
11 It needs to provide evidence to a person of skill in the
12 art, which effectively is a formulator. But there is
13 demonstration of the full scope of the claims of the
14 invention that's described in the patent.

15 Secondly, there has to be a demonstration that
16 the -- to a person skilled in the art that the named
17 inventors actually had possession of what they've claimed at
18 the time that the patent was filed.

19 Q. Now, you referred to the person of skill, a
20 formulator.

21 MR. SCHULER: Could you put up DDX3.

22 BY MR. SCHULER:

23 Q. What standard did you apply for the person of skill
24 in the art?

25 A. Well, it's on the screen here. That's quite

DIRECT EXAMINATION - WILLIAM CHARMAN

1 complicated. It describes people with different levels of
2 education, different levels of experience. Jazz's experts
3 and myself agree with what's here. And I think the simplest
4 way of describing it is that it's an experienced
5 pharmaceutical formulator with experience knowing how to
6 formulate the raw material drug into a medicine.

7 There's no disagreement with regard to this
8 POSA. And what I'll do today, rather than saying person of
9 skill in the art, I'll just use the term "formulator."

10 Q. And what standard were you asked to apply in forming
11 opinions relating to inventorship?

12 A. Inventorship, the standard for inventorship is,
13 again, described, and that standard is that the inventor
14 named on the patent actually had possession of the invention
15 that is described in the patent.

16 Q. And what standard of proof did you apply in examining
17 these issues you're going to testify about here today?

18 A. The standard of proof is -- has to be clear and
19 convincing evidence of support for my opinion.

20 Q. And what did you conclude with respect to whether
21 Jazz was in possession of and conveyed through the
22 specification the full scope of the claimed inventions?

23 A. I concluded Jazz did not for the '488 and for the
24 '782 patent.

25 Q. And did you conclude that some -- did you form an

DIRECT EXAMINATION - WILLIAM CHARMAN

1 opinion as to whether someone else was in possession of the
2 subject matter of those patents?

3 A. Yes, I did.

4 Q. Who?

5 A. Scientists at Avadel.

6 MR. SCHULER: If you could please put up DDX5.

7 BY MR. SCHULER:

8 Q. What was the basis for your analysis regarding who
9 was in possession of those claimed inventors?

10 A. So the decision is this key aspect as to whom
11 possessed what the invention was.

12 There were five aspects that informed my
13 opinions here with respect to possession. Firstly, there's
14 a lack of disclosure within the Jazz patents of the
15 inventor's actually having possession of what that invention
16 was.

17 Secondly, I had the ability or opportunity to
18 review Jazz documentation outside of the patent itself and
19 that documentation, at the appropriate timing in terms of
20 filings here, highlighted skepticism that Jazz, itself,
21 internally had with regard to the inventions of the claims.

22 Thirdly, I have been through the Avadel patents
23 and I find in the Avadel patents specific formulations and
24 clarity with regard to what was invented and a description
25 of how that invention was made and what it was.

DIRECT EXAMINATION - WILLIAM CHARMAN

1 Fourthly, similar to where I had the opportunity
2 to look at Jazz internal information, I've had the chance to
3 look at Avadel internal information and as listed in point
4 four, that internal work is consistent with my opinions.

5 And then fifthly, this change in claims that
6 you've heard about, this curious change where the claims
7 that were in Jazz, then changed to something quite
8 different, that abrupt change mirrored what was described in
9 Avadel.

10 Q. All right. You mentioned this scope of the claims,
11 let's talk about claim scope.

12 What are the key requirements of Claims 7 and
13 11, which depend on Claim 1?

14 A. Yes, Claim 7, it describes the sodium salt of
15 gamma-hydroxybutyrate, that's the raw material, that's the
16 drug, not the medicine, but the drug.

17 Claim 11 describes some specific release
18 characteristics, that's the dissolution characteristics of a
19 formulation when it's tested under those prescribed
20 conditions and they all relate back to Claim 1.

21 Q. And what function is recited by the claims of the
22 '488 patent?

23 A. It is the sustaining of oxybate release.

24 Q. And what construction did you apply to sustained
25 release?

DIRECT EXAMINATION - WILLIAM CHARMAN

1 A. The Court provided a construction of the term, a
2 definition and what that term or what that construction says
3 is that the sustained-release portion, right, there's an
4 immediate release and sustained release, the
5 sustained-release portion is that portion of the formulation
6 that isn't the immediate release part and the
7 sustained-release portion releases over a period of time.

8 Q. What are the structural requirements of the claim
9 with respect to the functional coating on the
10 sustained-release portion?

11 A. So this is taken from Claim 1 of the '488 patent.
12 I've attempted to represent diagrammatically what it is
13 describing. The examples in the '488 patent are all
14 directed to a tablet.

15 So the purple there is my representation of a
16 tablet and the aqua around the outside is my representation
17 of a functional coating that's put on the outside of the
18 tablet.

19 Within that functional coating, the only
20 requirement is that it can -- is that it includes MAMM and
21 that it includes MAMM at 20-50 percent.

22 The other remaining 50-80 percent is not
23 described.

24 Q. Now, what conclusion did you reach as to the breadth
25 of the claims given a lack of any other structural

DIRECT EXAMINATION - WILLIAM CHARMAN

1 requirements of the functional coating?

2 A. I concluded that the claims are very broad.

3 Q. And what is depicted here on DDX009?

4 A. So this diagram, I'm going to walk you through it, so
5 be patient with me. On the left-hand side this is a range
6 of potential dosage forms, right, so this is the formulated
7 medicine.

8 Within the patent, there's a description of
9 tablets and a description of capsules. What is in the red
10 dotted box there are other potential formulations that, in
11 fact, Jazz have asserted are included within the claim
12 scope. That includes things such as patches, lozenges,
13 microparticles, liquid solutions, suspensions, and films, so
14 that's the range of potential final medicines that are
15 encompassed within the scope of the claim.

16 Q. What did you conclude with respect to the functional
17 coating?

18 A. Each one of those boxes represents a way of achieving
19 that functional coating or potentially achieving and they
20 are some of the complementaries or some of the ingredients
21 that can provide a functional coating.

22 Q. What did you conclude with respect to pore formers?

23 A. The specification describes pore formers, there's
24 thirty-plus pore formers and I have listed a number of those
25 pore formers on the slide there.

DIRECT EXAMINATION - WILLIAM CHARMAN

1 Q. And what did you conclude with respect to ingredient
2 classes?

3 A. Formulations have a number of ingredients present
4 within, the specification appeared -- or that aspect of the
5 specification appearing at the bottom of the right-hand part
6 of the slide is from the patent specification and it
7 describes classes of different ingredients that are then
8 included with those other components to then form that range
9 of formulations.

10 So those ingredient classes, it's important to
11 remember that there's numerous individual ingredients that
12 make up each class and then that gets multiplied, if you
13 like, across the different classes, then combined with pore
14 former's functional coatings to give that range of dosage
15 forms.

16 Q. What is your opinion, Doctor, with respect to whether
17 the '488 patent specification demonstrates to a formulator
18 that the inventors have possession of the full scope of what
19 they claimed?

20 A. I've concluded that it does not demonstrate that.

21 Q. Why is that?

22 A. There's three primary aspects with regard to what's
23 not present within the specification.

24 Firstly, is that the '488 specification, and
25 I'll show you some data for this in a moment, is focused on

DIRECT EXAMINATION - WILLIAM CHARMAN

1 tablets. You've heard that much of the issue here is around
2 these microparticles, they don't appear within the '488
3 specification.

4 Secondly, as you've heard already, there's no
5 formulation described in that specification that includes
6 MAMM.

7 And, thirdly, there is no data, as required by
8 those claims, under the claim conditions of a formulation
9 that contains MAMM.

10 The third point is sort of obvious. If you
11 don't have a formulation with it, you can't have data under
12 conditions of such a formulation.

13 Q. Now, what does the specification tell a formulator,
14 Dr. Charman, that you found particularly pertinent to your
15 analysis?

16 A. Yes, GHB is a difficult to formulate drug. I'll
17 explain more in a moment and why. This excerpt here from
18 the specification says that formulating GHB into a unit
19 dosage form. So that's the complete dosage form for a
20 patient, presents many challenges just on it's own, but
21 those challenges are magnified when the goal of the
22 formulation project is to provide the controlled-release of
23 GHB; in other words, it's hard enough to do just on it's own
24 but then when you're trying to get an extended-release
25 dosage form, it's even harder.

DIRECT EXAMINATION - WILLIAM CHARMAN

1 Q. What are some of the particular difficulties
2 identified in the specification?

3 A. Okay. A little bit of formulation. High dose, well,
4 if you got a large amount of drug to formulate, you're going
5 to need a lot of ingredients to help formulate it to modify
6 the release, simple point.

7 Q. And what does that mean as a practical matter,
8 Doctor?

9 A. I have tried to take a simple example. So on the
10 left-hand side there, I have represented Tylenol. Common
11 painkiller. 300 -- the drug in Tylenol is called
12 acetaminophen and the amount of drug in Tylenol is 325
13 milligrams, less than half a gram.

14 For an extended release, modified release,
15 once-nightly formulation of sodium oxybate, the amount of
16 drug is up to 9000-milligram. Right. So if you do the math
17 here, that's the equivalent of 27 times -- up to 27 times
18 the amount of drug that's present in a single Tylenol
19 tablet. So you can see the challenge here, no one is going
20 to take 27 tablets, I'm not suggesting that's what would be
21 done, but you can see the magnitude of the formulation
22 problem having to deal with that amount of drug to try and
23 formulate it.

24 Q. And what is the next challenge that the specification
25 highlights?

DIRECT EXAMINATION - WILLIAM CHARMAN

1 A. It highlights GHB is very soluble. That means that
2 it dissolves rapidly and significantly in water. The
3 problem that leaves for a formulator, if you're formulating
4 it and you have a solvent, a liquid, as you're trying to
5 prepare these formulations, it might be dissolving in things
6 before you've actually made it. You can get to a mess very
7 quickly, that's one of the additional challenges.

8 Q. And what is the final challenge that the
9 specification highlights?

10 A. It highlights that in terms of its chemical
11 structure, oxybate is quite small as a molecule. And that
12 means that it is highly permeable, let me give you an
13 analogy.

14 If you think of a mosquito net, bear with me, a
15 mosquito net, the net represents a film, right. The film in
16 the mosquito net keeps the mosquito out, but something
17 small, like a gnat, goes straight through. So that's the
18 challenge if you're using a film to control the release of
19 oxybate. It's small. It can get into the film, it can
20 disrupt the film or go through it before you finalized the
21 formulation.

22 Q. Doctor, in light of all of those potential
23 difficulties, what would a formulator expect to see to
24 believe that an inventor possessed a sustained-release
25 formulation of GHB?

DIRECT EXAMINATION - WILLIAM CHARMAN

1 A. Leaves substantial challenges. The more substantial
2 the challenge, the more the demonstration needs to be that,
3 in fact, the inventor had achieved what they are purporting
4 to have invented. Examples, data, in a situation such as
5 this.

6 Q. All right. Now let's start with your first basis for
7 your opinion that it doesn't describe microparticles, how
8 many times is the word "tablet" mentioned in the '488
9 specification?

10 A. I counted this up, it appears 81 separate times.

11 Q. And what -- how many times does the specification use
12 the term microparticles?

13 A. I counted that as well, zero.

14 Q. Now, Doctor, we've got, for those following along
15 we're in JTX003 at column 4, lines 15-25. What does the
16 specification say about powders?

17 A. So, this is describing -- sorry, the question was
18 about?

19 Q. Yeah, what does it say about powders?

20 A. Powder, yes, sorry. It describes in the top
21 highlighted yellow part there, the immediate-release
22 component, not the sustained release, but immediate-release
23 component could be provided, as an example, as dry powder.

24 Q. And have you considered whether this portion also
25 discloses microparticles?

DIRECT EXAMINATION - WILLIAM CHARMAN

1 A. No, no.

2 Q. Why not?

3 A. This is a dry powder and that's clearly not a
4 microparticle.

5 Q. Now, doesn't the next sentence say the IR or
6 immediate-release component may also be formulated as a part
7 of this single-dosage form that integrates both the
8 immediate release and controlled-release?

9 A. Yes. It does. And that's the next sentence and then
10 second area highlighted there in yellow says that in such an
11 embodiment, the formulation may be provided in the form of a
12 coated tablet, so, in other words, the two proportions put
13 together and made into a tablet which is then coated.

14 Q. And what is your conclusion as to whether this
15 portion of the specification provides a disclosure of
16 microparticles for sustained release?

17 A. It doesn't.

18 Q. Why can't a formulator just apply the teachings about
19 a tablet and make microparticles out of it?

20 A. Well, these are some of the challenges of formulation
21 science. There's a number of reasons. The most significant
22 is just the difference in size between a small microparticle
23 and a tablet. The number of microparticles that are
24 required and the challenges of then coating them.

25 Q. Have you prepared a demonstrative to assist in

DIRECT EXAMINATION - WILLIAM CHARMAN

1 explaining that?

2 A. Yes. So this is drawn to scale. I had to make the
3 Tylenol tablet that big to show you how small the
4 microparticles actually are. If it was just the size of a
5 Tylenol tablet, you'd hardly see it. The difference in
6 diameter or the width of the microparticle -- and this is to
7 scale of the microparticles that are described in Lumryz --
8 the microparticle is about 1/27th the width of what a
9 Tylenol tablet is, so these are very small.

10 Q. How many microparticles -- Lumryz microparticles
11 would you need to supply the same amount of drug as a
12 325-milligram Tylenol tablet?

13 A. Yeah, so I calculated, of those very small
14 microparticles, how many of them do you need to provide the
15 same amount of drug as would be in the tablet. It's
16 somewhere between about 15-17,000 of them in order to
17 provide the same amount of drug.

18 Q. Why does that difference matter?

19 A. Well, it matters for lots of reasons. If you're
20 going to modify release through the microparticles, the
21 modified-release microparticles, each one needs to be coated
22 and it needs to be uniformly and repeated -- uniformly
23 coated and the same for each one of them. So imagine having
24 to coat uniformly each one of those 17,000 microparticles to
25 give you what's the equivalent of the amount of the drug

DIRECT EXAMINATION - WILLIAM CHARMAN

1 that's present in Tylenol. That diagram represents about
2 6,000 microparticles, right? You get the idea. That's a
3 challenge in terms of working with that.

4 Now, of course, if you could formulate oxybate
5 as a tablet, you would. It's a lot more straightforward to
6 do and easy for the patient to take.

7 You go to these more, shall we say, complex
8 approaches because you've got no option but to go there in
9 order to be able to provide the medicine so that it can be
10 dosed once a night for the patient.

11 Q. Now, what is depicted on DDX22?

12 A. So we've spoken about the difference in volume. That
13 was the number. Now I'm representing the difference in
14 surface area. That unfolding was the surface area of the
15 coating that would be on a single tablet. On the right-hand
16 side there, what I've done to scale is to represent the
17 surface area relevant to about 15-17,000 microparticles.
18 You can see there's an enormous increase in the amount of
19 area from all of those microparticles that needs to be
20 uniformly coated to provide the sustained release.

21 Now, of course, this is just a representation.
22 Later on the modified-release particles and the
23 immediate-release are then combined later, but this is just
24 a representation to highlight the challenge of the
25 difference in surface area.

DIRECT EXAMINATION - WILLIAM CHARMAN

1 Q. Have you ever attempted to make coated microparticles
2 out of a tablet formulation?

3 A. Yes, I have.

4 Q. And what happened?

5 A. I was working as part of an experienced formulation
6 team and we were unable to do that, exactly for these
7 reasons. If the area is so much bigger you need more
8 excipients, more ingredients, and actually how can you do it
9 in a reproducible way. So I was -- myself and my team, we
10 were unable to do that.

11 Q. Now, sir, turning to the examples, what do the
12 examples of the '488 patent involve?

13 A. There are 12 examples described in the specification
14 of the patent, and each example is a coated or refers to a
15 coated tablet.

16 Q. Now, is there any evidence in the specification that
17 microparticle formulations were made or that any testing was
18 conducted on them?

19 A. No.

20 Q. Now, in terms of possession -- in terms of possession
21 and inventorship, what other evidence did you consider as to
22 whether Jazz was actually in possession of particle or
23 multiparticulate formulations at the time?

24 A. I concluded that there was no demonstration of
25 possession of a formulation of MAMM.

DIRECT EXAMINATION - WILLIAM CHARMAN

1 Q. What evidence did you look at?

2 A. I looked into the specification.

3 Q. And in terms of Jazz's internal documents, what did
4 you look at?

5 A. I've had access to those internal documents, and I've
6 similarly seen that there wasn't an example of such a
7 formulation.

8 MR. SCHULER: Now, can we pull up DTX661.

9 BY MR. SCHULER:

10 Q. What is DTX661?

11 A. This is an internal e-mail from Mr. Allphin that we
12 saw on Monday, and as part of this e-mail, he's commenting
13 that there's an awareness that Flamel has a Xyrem-like
14 candidate.

15 Q. And if you can look in the -- just to the left of the
16 cutout, what is the date?

17 A. At the top there --

18 Q. Maybe look on your screen.

19 A. It is March 2014.

20 Q. All right. And how does that compare to the filing
21 date of the application that eventually turned into the '488
22 patent?

23 A. This is about three years after that was filed.

24 Q. Now, if we could turn to the next page -- or the
25 prior page, this is Mr. Allphin writing to colleagues, and

DIRECT EXAMINATION - WILLIAM CHARMAN

1 he says in the third -- sorry, the fourth paragraph, "One
2 thing to keep in mind, both Impax and Supernus are very good
3 at developing bead formulations and keen on 505(b)(2). Both
4 have heritage in drug delivery, and both would certainly be
5 aware of the formidable challenges with sodium oxybate. I
6 think that one would have taken a bite at this if they
7 thought it even had a remote chance of being feasible."

8 How did that inform your opinions on possession?

9 A. What this is saying is that it's identifying a
10 challenge associated with attempting to formulate these
11 extended-release formulations and that companies with
12 experience with bead formulations, he's skeptical that they
13 would have been able to do it. If they had a chance, they
14 would have this. This is the whole issue of challenge and
15 whether it's possible, so this is skepticism.

16 Q. Doctor, if you turn in your binder to JTX219. What
17 is it?

18 A. This is a Jazz document from September 2009.

19 MR. SCHULER: Your Honor, I'd move for admission
20 of JTX219.

21 MR. CALVOSA: No objection.

22 THE COURT: JTX219 is admitted.

23 (Exhibit admitted.)

24 MR. SCHULER: So if we could publish that.

25 BY MR. SCHULER:

DIRECT EXAMINATION - WILLIAM CHARMAN

1 Q. And what year is this, Doctor?

2 A. 2009.

3 Q. What month?

4 A. September.

5 Q. Now, if we could go to 219.18. What is depicted on
6 this page of the slide?

7 A. This is describing --

8 Q. I'm sorry. It's on the screen for you.

9 A. Yes, thank you. This -- the heading of the slide is
10 "Platforms that Were Considered." Platform is a technology
11 type, sort of approaches, and it says further down there
12 "the platforms that have been rejected include film-coated
13 beads or pellets."

14 Q. And in what year did Mr. Allphin say he was doing his
15 work that led to the '488 patent?

16 A. Prior to that, around about 2009, that sort of
17 timeframe.

18 Q. Now, if you could pull up DDX34. What is -- I'm
19 sorry. Yeah, what -- this has an excerpt from DTX250. What
20 is DTX250?

21 A. This is an internal Jazz document from August 2014
22 entitled Flamel Market Intelligence.

23 Q. And what is the current assumption listed on the top
24 part of DDX35?

25 A. Yes, the assumption highlighted there says,

DIRECT EXAMINATION - WILLIAM CHARMAN

1 "Historically, drug formulation issues have challenged the
2 development of multiparticulate bead formulations."

3 Q. And what comment did Mr. Allphin offer?

4 A. So the comment he's offered to that says, "Sodium
5 oxybate multiparticulate bead formulations pose challenges
6 which Flamel may not fully appreciate."

7 Q. And how would that inform your analysis as to whether
8 Jazz had possession of multiparticulate formulations even
9 as -- even in 2014?

10 A. Well, this is demonstrating that it wasn't in
11 possession.

12 (Reporter clarification.)

13 BY MR. SCHULER:

14 Q. Now, if we could please go back to DDX23.

15 Now, let's discuss your opinions about MAMM,
16 Doctor. What did you conclude about whether the
17 specification demonstrates possession of a sustained-release
18 formulation that includes MAMM?

19 A. I concluded that it does not.

20 Q. Is MAMM mentioned in the specification?

21 A. Yes.

22 Q. In what context and for what purpose is MAMM
23 mentioned?

24 A. MAMM is mentioned in this part of the specification
25 under a heading of pore formers. Pore formers may or may

DIRECT EXAMINATION - WILLIAM CHARMAN

1 not been included within respect to this functional film.
2 There are different pore formers listed. There are, in
3 fact, three classes of pore formers with all those words up
4 there. The third class of pore formers are these so-called
5 enteric polymers.

6 There are three examples given of those enteric
7 polymers, and they are on the right-hand side: Cellulose
8 acetate phthalate, MAMM that we know, and polyvinyl acetate
9 phthalate. They are the three examples of enteric polymers
10 listed.

11 Q. Now, is there any indication in the specification
12 that MAMM is a special or desirable ingredient?

13 A. No. If you read the sentence in blue there, so
14 immediately following those enteric polymers being
15 described, the sentence says, "However, incorporating
16 enteric components in the film may result in delivery
17 characteristics that exhibit some level of sensitivity to
18 gastric and intestinal transit times."

19 This is talking about variability. You don't
20 want variability in a medicine. So it's listed, but it then
21 comes with a warning.

22 Q. And did that warning get repeated in the
23 specification, Doctor?

24 A. There are other parts of the specification that
25 repeat that sort of warning. On the right-hand side,

DIRECT EXAMINATION - WILLIAM CHARMAN

1 earlier in the specification in column 8, it talks about
2 previous references where dosage forms have worked in a
3 pH-dependent manner -- that's this enteric approach -- and
4 then that similarly includes a warning, which says "when
5 those polymers or enteric materials are used on a tablet,
6 they can lead to intra- and inter-patient variability."

7 Now, what that means is -- intrapatient
8 variability means if I took a medicine today, it may not
9 work in me if I took it again tomorrow. That's intra-.
10 Inter-patient variability means it might work for me, but it
11 wouldn't work for you. That's not a good thing.

12 Q. So would a formulator take from these portions of the
13 specification -- I'm sorry. What conclusion would a skilled
14 formulator take from these portions of the specification
15 with respect to whether to select MAMM from the potential
16 list of pore formers?

17 A. Well, where MAMM is listed or enteric polymers are
18 listed, the warning effectively is the same. So it's a
19 teaching away or -- it's certainly not identifying MAMM to
20 use.

21 Q. Now, have you considered Jazz's expert Dr. Moreton's
22 opinions on these issues?

23 A. Yes, I have.

24 Q. And what does he point to in the specification as
25 alleged support for selecting MAMM?

DIRECT EXAMINATION - WILLIAM CHARMAN

1 A. He points to some phrases in column 18. In one of
2 those phrases where it mentions the word "enteric coating,"
3 it doesn't mention "MAMM." It mentions "enteric coating,"
4 which is a class of materials. But, again, as soon as the
5 word "enteric coating" is described -- and this is on a
6 tablet -- it comes with a similar warning: Limits start-up
7 time, gastric residence, and associated variability.

8 Q. And what did Dr. Moreton point to next?

9 A. In that same column, there's another way that those
10 enteric polymers are described. Again, enteric pore
11 formers, this is as a pore. Previous one was in terms of a
12 coating there. Again, enteric comes with a warning, and the
13 warning in this case is sensitivity to food effects and
14 gastric volatility. So whether it's transit time, food
15 effects, intra- or inter-patient variability, it's
16 variability.

17 Q. And so what would a formulator conclude from these
18 statements when placed in context of the entire
19 specification?

20 A. Well, it's certainly not suggesting choose MAMM.

21 Q. Now, what example, if any, in the '488 patent
22 specification utilizes MAMM?

23 A. Of the 12 examples, MAMM is not included in a single
24 one.

25 Q. Is there anything that would lead a formulator to

DIRECT EXAMINATION - WILLIAM CHARMAN

1 select MAMM as the key structural feature of a
2 sustained-release formulation from this list?

3 A. So back to this slide. Range of dosage forms,
4 different coatings, different pore formers, different
5 ingredient classes, MAMM, you can see at the bottom of the
6 green column there, there's nothing to identify that. It's
7 the needle in the haystack sort of thing. It's not obvious
8 to choose it.

9 Q. And what conclusion did you form as to whether the
10 specification would convey to a formulator that the
11 inventors had possession of a MAMM formulation?

12 A. I concluded they did not.

13 Q. Now, we'll move forward, your last one was -- oops,
14 I'm sorry -- no data under claimed conditions. Did you hear
15 Ms. Megret's opinions regarding whether the specification
16 has data to support the claims?

17 A. Yes, I heard her.

18 Q. Do you agree with those opinions?

19 A. I do.

20 Q. Why?

21 A. She described clearly the fact that there's specific
22 conditions. USP 2, particular conditions for that to be
23 operated under. There was no example of a MAMM formulation
24 tested under those conditions that are required for the
25 claim. You either have it or you don't. It wasn't there.

DIRECT EXAMINATION - WILLIAM CHARMAN

1 Q. What conclusion did you form as to whether there was
2 any data testing microparticulate formulations?

3 A. There was none.

4 Q. And how does that inform your opinion about whether
5 the specification demonstrates possession of what is now
6 claimed as the invention?

7 A. It doesn't.

8 Q. Now, given the issues you identified, what conclusion
9 did you form about whether the specification satisfies the
10 written description requirement?

11 A. I concluded it doesn't. And we've been through each
12 of those examples. So I put a cross with regard to
13 microparticulate formulation, MAMM coatings, or data under
14 those conditions, for the '488 specification.

15 Q. Now, turning now to possession, what data do you
16 understand that Dr. Moreton points to with respect to the
17 alleged possession of MAMM formulations, even though the
18 information was not in the patent specification?

19 A. Dr. Moreton, in his report, referred to individual
20 data files that he referenced that were in the files at
21 Jazz.

22 Q. And what analysis did you undertake with regard to
23 those data files?

24 A. So each of the data files that he described, I went
25 and looked at the data for the experiments of the

DIRECT EXAMINATION - WILLIAM CHARMAN

1 formulations that he described. On the left-hand side, the
2 experimental citations; in other words, the notebook
3 references, when it was done, and so on. The right-hand
4 side, under the formulation, each of these tests was of a
5 tablet. Right. And this is the '488. So each test was a
6 tablet.

7 And then I looked in detail at each of those
8 examples that Dr. Moreton cited, and each of them used USP 2
9 Apparatus -- sorry, none of them used USP 2 apparatus, and
10 hence, I have put a cross in each of those boxes. None of
11 them were tested using the required apparatus. Hence, a
12 cross in each of the boxes under USP 2.

13 In terms of deionized water, five did not use
14 deionized water, two did. But to meet the claims, you've
15 got to have all of the requirements. And there's too many
16 red crosses there.

17 Q. Were you here for opening statements, Doctor?

18 A. I was.

19 Q. And did you hear Jazz's counsel say that -- put up
20 this slide and say that -- show that Mr. Allphin conducted
21 experiments on MAMM sustained-release formulations?

22 A. Yes.

23 Q. And what analysis did you undertake with respect to
24 that particular experiment?

25 A. So that particular experiment is highlighted in the

DIRECT EXAMINATION - WILLIAM CHARMAN

1 yellow row there. It was a tablet. The notebook reference
2 is on the left. But the testing apparatus was not USP 2.
3 And we've just heard, USP 2 cannot be substitutable to 7,
4 they're different. So it did not meet that requirement.

5 Q. And what conclusion did you form, Doctor, as to
6 whether Jazz was actually in possession of a formulation
7 that falls within the scope of Claims 7 and 11?

8 A. It doesn't.

9 Q. Now, let's talk about what you analyzed from the
10 Avadel perspective. What conclusion did you form about
11 whether Avadel's patent publication demonstrates possession
12 of the very deficiencies that you identified with regard to
13 the '488 patent specification?

14 A. So I went through the so-called Avadel '284 on the
15 right-hand side, and I looked at that in the same amount of
16 detail as I did the '488. And within the Avadel patent
17 application, there is description of the multiparticulate
18 formulations -- of multiparticulate formulations. There is
19 a description of formulations using MAMM within a functional
20 coating. And there is a description of dissolution data
21 under the claimed conditions.

22 So I have put a tick, a green tick next to each
23 of those.

24 Q. Now, turning to DTX30, what did the Avadel inventors
25 say that they discovered?

DIRECT EXAMINATION - WILLIAM CHARMAN

1 A. We heard from Dr. Megret this morning how she had
2 discovered this novel relationship between the release of
3 oxybate from the formulation and what its performance would
4 be in a human subject, and that's described here in this
5 part of the specification.

6 Q. And looking at table 1d, what does this show a
7 skilled formulator?

8 A. This shows a skilled formulator the presence of MAMM.
9 MAMM is the equivalent of methacrylic acid copolymer type B,
10 highlighted there in yellow.

11 Q. And what quantity is disclosed in table 1d?

12 A. So to work out the quantity of MAMM disclosed, you
13 add up the three coating excipients and then determine the
14 amount of that total amount that is MAMM, and that amount is
15 approximately 27 percent.

16 Q. And what testing does the Avadel application disclose
17 in terms of pertinent to your analysis?

18 A. Yes, this is dissolution data in USP 2 apparatus in
19 deionized water. And this describes the results of that
20 dissolution experiment, and those data meet what is required
21 by the claims.

22 Q. And in what other ways did Avadel inventors test
23 their formulations?

24 A. They tested the formulation here in the laboratory,
25 after they prepared or formulated it. They then went on,

DIRECT EXAMINATION - WILLIAM CHARMAN

1 because of the promising results here, they then tested the
2 formulation in human subjects.

3 Q. And what ultimately happened to that formulation?

4 A. Ultimately, that formulation that was tested in human
5 subjects, you heard Dr. Gray this morning talk about the
6 progress through what's called clinical trials. And that's
7 what was then submitted to the Food and Drug Administration,
8 and that's what got approved that is now known as Lumryz.

9 Q. And so what conclusion did you form as to how -- as
10 to whether Avadel's patent disclosures compare to the
11 deficiencies you identified in the '488 patent
12 specification?

13 A. There is a marked difference in terms of what the
14 disclosures are.

15 Q. Now, I'd like to turn to the prosecution history. If
16 you can turn in your binder to JTX9 and JTX807, if you're
17 able to do that.

18 What are those documents, sir?

19 MR. CALVOSA: One second, I don't think I have
20 it and I don't think the witness has it either.

21 (Discussion held between counsel off the
22 record.)

23 THE WITNESS: So I have got nine. What was the
24 other number?

25 BY MR. SCHULER:

DIRECT EXAMINATION - WILLIAM CHARMAN

1 Q. Let's do JTX9 for now. What is JTX9?

2 A. Prosecution history.

3 MR. SCHULER: Avadel moves for admission of
4 JTX9.

5 MR. CALVOSA: No objection.

6 THE COURT: JTX9 is admitted.

7 (Exhibit admitted.)

8 BY MR. SCHULER:

9 Q. Do you have a binder with PTX807, Doctor?

10 A. Yes.

11 Q. And what is PTX807?

12 A. Further prosecution history.

13 MR. SCHULER: We move for admission of PTX807.

14 MR. CALVOSA: No objection.

15 THE COURT: PTX807 is admitted.

16 (Exhibit admitted.)

17 BY MR. SCHULER:

18 Q. So what analysis did you conduct with the prosecution
19 history, Doctor?

20 A. I went through the prosecution history to understand
21 what I could. We just heard earlier this morning that
22 that's a process that occurs. So firstly, I identified in
23 March 2011, this was the initial filing of a patent
24 application by Jazz.

25 Q. And what were those claims directed to, Doctor?

DIRECT EXAMINATION - WILLIAM CHARMAN

1 A. Those claims were directed to compressed tablets.

2 Q. And what happened next?

3 A. The prosecution process occurs. And there were a set
4 of new claims or amended claims in October 2017, and they
5 were similarly focused on compressed tablets as being the
6 formulation of the invention.

7 Q. And what happened in the application that we're
8 currently looking at?

9 A. What happened is that there was subsequently a new
10 application made, and there was some curious changes in the
11 claims made in the new application.

12 Q. What happened next?

13 A. Oh, it was abandoned, so these -- there was a new
14 application filed.

15 Q. And what was the subject matter of those new claims
16 in July of 2018?

17 A. So, the compressed tablet is no longer described.
18 And then you can see here there is the description of MAMM
19 and a controlled-release portion with dissolution
20 characteristics under prescribed conditions.

21 Q. And how would you characterize the nature and extent
22 of those changes?

23 A. It was an abrupt change.

24 Q. And did you see anything that might have caused that
25 abrupt change?

DIRECT EXAMINATION - WILLIAM CHARMAN

1 A. Yes, I did.

2 Q. What was that?

3 A. Avadel, in January 2018, so in between one being
4 abandoned and the new application filed in July 2018,
5 Avadel's '284 published in January.

6 Q. And what did that application disclose that was
7 relevant to your analysis?

8 A. That application described immediate-release
9 microparticles, modified-release microparticles, the
10 presence of MAMM, and the description of the USP 2
11 dissolution conditions in deionized water under those
12 required conditions.

13 Q. And what did you conclude when you compared the
14 information of Avadel's '284 patent application publication
15 to the claims that Jazz sought for the first time in July of
16 2018?

17 A. I describe them as being strikingly similar.

18 Q. And what did you learn from Jazz's witnesses that may
19 have led to that abrupt change?

20 A. I heard Mr. McGarrigle's deposition testimony this
21 morning and on the video, and he described that when those
22 new claims were being drafted for the July 2018 application,
23 that the attorneys drafting those claims referred to the
24 Avadel, the pre -- the existing Avadel publication.

25 Q. In conclusion, what did you -- what opinion did you

DIRECT EXAMINATION - WILLIAM CHARMAN

1 form from the course of prosecution?

2 A. It was an abrupt change over time. And these new
3 claims reflect what was in the Avadel '284 publication
4 there.

5 Q. Now, let's turn to the '782 patent written
6 description and some inventorship.

7 What did you conclude with respect to whether
8 Jazz's '782 patent specification conveys possession over
9 claim -- full scope of Claim 24.

10 A. I concluded that it doesn't.

11 Q. Now, let's look at those claims. Can you explain how
12 the subject matter relates to Claim 14?

13 A. Yes, Claim 24 describes the unit dose, the unit dose
14 is the amount of drug in the particular dose that's going to
15 be given to the patient. And it says that that unit dose is
16 packaged in a sachet, right, so the patient gets the sachet
17 and refers back to Claim 14 as to the characteristics of
18 what goes in the sachet.

19 Q. And what does Claim 14 recite?

20 A. So Claim 14 recites the following: That there is the
21 presence of immediate-release particles, there is the
22 presence of modified-release particles, there is the
23 presence of a viscosity-enhancing agent, that is a
24 thickener, the presence of an acid and the fact that the
25 viscosity-enhancing agent and the acid, they both have to be

DIRECT EXAMINATION - WILLIAM CHARMAN

1 separate from the immediate release and modified-release
2 particles.

3 Q. What construction did you apply to modified release?

4 A. The construction provided by the Court and that
5 construction says that the modified-release particles that
6 contain the oxybate have a release profile that is different
7 from that -- from the immediate-release particles.

8 Q. What did you conclude about the breadth of Claim 24
9 in light of Claim 14?

10 A. I concluded that the scope of the claim is very
11 broad.

12 Q. Why?

13 A. For the three reasons that I have listed here on the
14 slide. If you think where it just describes immediate
15 release, microparticles or particles, modified release, acid
16 and the viscosity-enhancing agent, it doesn't say anything
17 about what the formulation technology is. So any
18 formulation technology is encompassed and we'll talk about
19 resinates in a moment but I've simply described that as any
20 formulation technology that can include resinate or
21 nonresinate.

22 In terms of the ingredients of the formulation,
23 it can be any pharmaceutical ingredient. And in terms of
24 the modified release profile, it just has to be different to
25 that of the immediate release, so it could be very long or

DIRECT EXAMINATION - WILLIAM CHARMAN

1 very different, medium or just a little different. There's
2 no guidance given as to what the modified release profile
3 needs to be.

4 Q. And what did you conclude about whether the '782
5 patent specification conveys possession over the full scope
6 of that subject matter?

7 A. I concluded that it does not.

8 Q. Why?

9 A. The three reasons listed on the slide. Firstly, is
10 that the '782 patent only describes resinates. There's no
11 description of so-called "nonresinate" or what is also
12 listed as conventional. And we'll talk about that in a
13 moment. So the only description within the '782 is for
14 resinates.

15 Secondly, that there are two examples of a
16 resinate that was loaded, not a formulation but a resinate
17 and there is no data to show that that could even modify the
18 release of oxybate.

19 And then thirdly, there is no guidance given as
20 to why both the acid and the viscosity-enhancing agent need
21 to be separate.

22 Q. All right. Talking about the first subject, what did
23 you conclude about whether the specification demonstrates
24 possession of these nonresinate technologies?

25 A. So nonresinate technologies are described in this

DIRECT EXAMINATION - WILLIAM CHARMAN

1 part of the specification. It says that the factors
2 complicate -- now, the factors are the difficulty of
3 formulating GHB. So those factors complicate and in many
4 cases, limit conventional approaches for modified release.
5 And in the example, a conventional approach modified release
6 is described there as is "core shell," so that's where there
7 is a shell provided over a core, such as a tablet, for
8 example.

9 And because of the solubility and the ability,
10 that's the permeability of GHB, it reduces the number of
11 viable approaches to prepare an extended release formulation
12 because of these characteristics of GHB.

13 Q. Does the specification provide any information about
14 conventional techniques that might solve these limitations?

15 A. No, it only describes the challenges and the problems
16 inherent in them.

17 Q. And if the inventors were in possession of
18 nonresinate formulation or techniques for modified release
19 that would address these problems, what would a formulator
20 expect to see?

21 A. A description of them.

22 Q. Now, what does Jazz's expert, Dr. Little, rely on as
23 support for these conventional techniques?

24 A. Dr. Little relies upon an incorporation by reference
25 that we heard earlier today from the patent person, that

DIRECT EXAMINATION - WILLIAM CHARMAN

1 Allphin 2012 is incorporated by reference. Allphin 2012 has
2 the same disclosure as the '488 patent, that's the one for
3 coated tablets.

4 And the challenge, of course, is that the
5 problem is these conventional approaches of a coated tablet.
6 So incorporating the problem certainly doesn't provide a
7 pathway to find a solution.

8 Q. Were you here for Mr. Allphin's testimony on Monday?

9 A. Yes, I was.

10 Q. What technology did he describe that was utilized in
11 the '488 patent specification?

12 A. He described such an approach as being a core shell
13 approach.

14 Q. And what does his later '782 patent specification say
15 about the viability of using core shell?

16 A. It's clearly challenging.

17 Q. Now, how did that inform your opinion about whether
18 the specification was talking about the technology -- or,
19 I'm sorry, about whether the specification provides a
20 disclosure that would convey to a person of skill that the
21 inventors were in possession of conventional techniques for
22 modifying the release?

23 A. It doesn't provide that at all.

24 Q. Now, if you could please put up DTX692.

25 What is DTX692?

DIRECT EXAMINATION - WILLIAM CHARMAN

1 A. This is an email from Clark Allphin in December 2014.

2 Q. If we could bring up the first --

3 A. Yes, background materials on PLE-2 legacy program.

4 Q. Right.

5 And what does Mr. Allphin say in the second
6 sentence?

7 A. So this is in the context of the PLE-2 program which
8 is what led to the '488 patent and he's saying here that
9 he's providing some background information on that is Jazz's
10 previously -- or previous unsuccessful attempt that oxybate
11 sustained release referring to the PLE-2 program, which are
12 the coated tablets.

13 Q. And how did that inform your opinion as to whether
14 Jazz had actual possession of a conventional technique for
15 modifying release as of 2014?

16 A. It doesn't demonstrate possession to me.

17 Q. And what would that tell you as to -- about whether
18 Jazz's incorporation of that PLE-2 technology description
19 would convey to a person of ordinary skill the ability to
20 make a successful sustained formulation of oxybate?

21 A. Well, it doesn't. It's described as "unsuccessful."
22 And as I said before, you can't have a solution being a
23 problem all at the same time.

24 Q. All right. If we can go back to the presentation.
25 Can we turn to DDX 65.

DIRECT EXAMINATION - WILLIAM CHARMAN

1 Now, what did you conclude, Doctor, about
2 whether resins are a material part of the scope of the
3 claim?

4 A. Yes, resins are a substantial part of the scope of
5 the claim. This is my attempt at a representation as to
6 some of the different approaches with regard to modified
7 release and resins are an important part of that
8 specification.

9 Q. Now, could you explain your opinion about item No. 2?

10 A. Yes. Resins can be loaded with oxybate, but
11 loading doesn't mean you get release. It doesn't tell you
12 anything about the release. So unless there's data to
13 demonstrate that a loaded resin provides modified release,
14 you can't conclude that there is modification of release.
15 You need data.

16 Q. Now, what are ion exchange matrix resins, Doctor?

17 A. So, another diagram. An ion exchange resin is an
18 ingredient. It's typically polymeric and it has a positive
19 charge on its surface and that's represented by the dots on
20 the purple.

21 A drug with a negative charge, such as oxybate,
22 can be loaded on to it. And the way it gets loaded, it's a
23 bit like a north and south magnet, right, it sort of sticks
24 to the surface. Then what happens -- so that's a loaded
25 resin and then what happens, if there are other negative

DIRECT EXAMINATION - WILLIAM CHARMAN

1 charge ions come along, they then remove the oxybate that
2 was otherwise previously loaded.

3 Q. Now, Doctor, what were the scientists at Jazz
4 expressing internally about the viability of using such
5 resinate technology around the time of the filing of the
6 original patent application around 2015, 2016?

7 A. There was skepticism within the company.

8 Q. If we could put up DTX1675.

9 And for context, what is DTX1675?

10 A. This is an email from December 2017.

11 Q. And if we could go to DTX1675.2.

12 What does the last bullet point here -- I'm
13 sorry, first of all, what is Project Tau?

14 A. Project Tau is the resinate project.

15 Q. And what does the last bullet point of this
16 embodiment say?

17 A. The last bullet point says, "Ion exchange resin
18 approach is distinct from the current JZP 324 formulation
19 approaches; however, this is the important part -- "the
20 probability of success remains low, approximately
21 10 percent," and this is in 2017.

22 Q. What does that tell you about whether Jazz was in
23 possession of an invention involving resinate technology to
24 modify the release of oxybate as of 2016?

25 A. It's consistent with my opinion that they did not

DIRECT EXAMINATION - WILLIAM CHARMAN

1 have possession of that.

2 Q. If we could go back to the presentation.

3 Now, turning back to the disclosures of the
4 specification, in light of all the recognized difficulties
5 with oxybate, what would a formulator expect to see in
6 conjunction with a claim to modified-release technology?

7 A. Well, also on the slide, this is what we had before,
8 this is the chemistry lesson, same drug, you'd expect to see
9 the same problems described and they are being described
10 similarly in that part of the '782 specification and, of
11 course, they are the same because it's the same drug.

12 Q. And what would a formulator expect to see if -- to
13 convey that an inventor had possession over a formulation
14 that could modify the release of oxybate?

15 A. As we said for the '488, because of the challenge
16 here, you'd expect to see examples that that challenge had
17 been met, that there was a way to do it, there would be data
18 and a demonstration that that challenge had been met or
19 there was a successful outcome.

20 Q. Now, does the specification of the '782 patent have
21 any data showing that resinates formulations can modify the
22 release of oxybate?

23 A. No.

24 Q. How many examples are there?

25 A. There are seven examples in the '782 patent.

DIRECT EXAMINATION - WILLIAM CHARMAN

1 Examples 3-7 are described as "prophetic," hypothetical,
2 they weren't done.

3 The two experiments that did support -- the
4 experiments that supported the two examples are shown here
5 and that is actual data in example 1 and in example 2.

6 Q. What do examples 1 and 2 show?

7 A. The only data in each of these two examples is a
8 description of how oxybate could be loaded on to two
9 different resins, right. Remember, the purple resins, so
10 this describes two different resins, how oxybate was loaded,
11 but it doesn't say anything about what the release profile
12 of oxybate was from that. It stopped only at the loading.

13 Q. Why doesn't the fact that you can load a resin with
14 some sodium oxybate demonstrate to a formulator that it will
15 also modify the release?

16 A. Loading and release are separate events. You don't
17 know what the release profile will be. You need data to
18 demonstrate what the release profile would be. Loading, you
19 need, but it is insufficient to demonstrate release, sort of
20 pretty obvious, I guess.

21 Q. Let's talk about actual possession, if you would turn
22 in your first binder to DTX44.

23 THE COURT: So, Mr. Schuler, just, ladies and
24 gentlemen of the jury, I'm going to give you just a minute
25 to stand in place. I know it's the afternoon, we've been

DIRECT EXAMINATION - WILLIAM CHARMAN

1 going for a while. Just to wake up.

2 All right.

3 MR. SCHULER: Thank you, Your Honor.

4 BY MR. SCHULER:

5 Q. Were you able to locate DTX44? In your first binder.

6 A. 44?

7 Q. Yeah.

8 A. I'm sorry. I'm having trouble finding it.

9 THE COURT: In volume 1.

10 THE WITNESS: Here we go. The standing up
11 didn't help me. Yes, got it.

12 BY MR. SCHULER:

13 Q. What is DTX44?

14 A. DTX44 is a Jazz document from February 2019.

15 MR. SCHULER: We move for admission of DTX44,
16 Your Honor.

17 MR. CALVOSA: No objection, Your Honor.

18 THE COURT: DTX44 is admitted.

19 (Exhibit admitted.)

20 MR. SCHULER: If we could publish that.

21 BY MR. SCHULER:

22 Q. And there's a mention of a company named -- a mention
23 of the words "Tris." Who is Tris?

24 A. Tris is a company that had expertise in resinate
25 technology that was contracted by Jazz to undertake the

DIRECT EXAMINATION - WILLIAM CHARMAN

1 development of a resinate approach for oxybate.

2 Q. And what did Jazz and Tris investigate to evaluate
3 the release of resinates from a formulation?

4 A. There's some PK data. This is the pharmacokinetic
5 data described here, and this is -- the third bullet point
6 that is highlighted, the conclusion is that the data -- this
7 is in human subjects -- the data are indicative of immediate
8 release of the majority of the dose.

9 Q. And how does that compare to the definition of
10 modified release that you were asked to apply?

11 A. Well, it's certainly not meeting the requirements.

12 Q. And what year was this?

13 A. This was 2019.

14 Q. And what does that tell you about whether loading a
15 resin is sufficient to tell a formulator that it will
16 relieve or modify the release?

17 A. It's consistent with my opinion that loading won't
18 tell you what the release profile will be, and this is the
19 release profile in human subjects.

20 Q. If you would turn in your binder to JTX217.

21 A. Yes.

22 Q. What is it?

23 A. This is a Jazz document from June 2021 entitled
24 Jazz/Tris Collaboration Recommended Alliance Exit
25 Termination.

DIRECT EXAMINATION - WILLIAM CHARMAN

1 MR. CALVOSA: Your Honor, at this time we move
2 to seal the courtroom if we're going to get any further into
3 Tris.

4 THE COURT: Okay. If anyone is not a --

5 MR. CALVOSA: I think it's going to be brief --

6 MR. SCHULER: It's going to be brief, and I was
7 just conferring to see if I could avoid the need if I just
8 tell him what I'm going to show the witness.

9 (Discussion held between counsel off the
10 record.)

11 MR. SCHULER: Your Honor, we won't publish.
12 We'll just have Dr. Charman read the --

13 THE COURT: Read it --

14 MR. SCHULER: -- conclusions.

15 THE COURT: -- without putting it on the screen.

16 MR. SCHULER: Correct.

17 THE COURT: Okay.

18 BY MR. SCHULER:

19 Q. Doctor, if you would turn to the page 217.8.

20 A. Yes.

21 Q. The title is Pilot PK Study. Can you remind us what
22 a PK study is?

23 A. A PK study is a study of a formulation in human
24 subjects where you measure the amount of drug appearing in
25 the blood.

DIRECT EXAMINATION - WILLIAM CHARMAN

1 Q. And what type of formulations were being tested?

2 A. Resinate formulations.

3 Q. And in the 2017 pilot study, what is listed in the
4 results and issues column?

5 A. There is a comment here that the profile of the
6 formulation when tested in human subjects -- it says the
7 profile of oxybate, the profile was not at all an extended
8 release.

9 Q. And what about December of 2018, what does it say in
10 the results and issues that was pertinent to your analysis?

11 A. The second bullet point says -- the conclusion is:
12 "Suggests immediate release of majority of dose."

13 Q. And what did you conclude about this information in
14 terms of whether Jazz actually possessed a resinate
15 formulation back in 2016 that could modify the release of
16 oxybate?

17 A. It's consistent with my opinion that they did not.

18 Q. All right. Now if we could go back to the
19 presentation. The last issue you identified is lack of a
20 disclosure of separate acid and separate viscosity-enhancing
21 agent. What does the specification say about acids?

22 A. Acids are listed throughout the specification for the
23 different purposes that acids can provide.

24 Q. And what direction does the specification provide to
25 a formulator as to where to place the acid?

DIRECT EXAMINATION - WILLIAM CHARMAN

1 A. There is no specific guidance as to where the acid
2 should be placed within the formulation.

3 Q. Let's talk about the viscosity-enhancing agent. Is
4 it mentioned in the specification?

5 A. The word is mentioned once, and there are some
6 examples of potential viscosity agents listed.

7 Q. And what, if anything, does the specification tell a
8 formulator about where to place that agent in relation to
9 the particles?

10 A. There is no guidance provided.

11 Q. Now, the claim here says that both the
12 viscosity-enhancing agent and the acid are separate. What
13 disclosure did you see in the specification, if any, that
14 would guide a formulator to that combination?

15 A. There was no guidance to guide a formulator to that
16 combination.

17 Q. Now, let's talk about possession and turn to Avadel's
18 patent. What did you conclude about who was in possession
19 of a formulation as claimed in the '782 patent, Claim 24?

20 A. Yes, this is an example of the composition from the
21 '866 patent. The presence of the modified-release
22 microparticles, immediate release, an acid listed that's
23 separate to those components, suspending agents -- that's
24 viscosity agent -- they are listed as separate to those
25 components, so it reflects the separate nature of those two

DIRECT EXAMINATION - WILLIAM CHARMAN

1 components in the presence of the modified-release particle
2 and the immediate-release particle.

3 Q. Now, how does it tell a formulator that they're
4 separate?

5 A. This is the finished composition. This is the
6 qualitative aspects, and it identifies the microparticles
7 that are separate -- sorry, the modified-release
8 microparticles separate from the immediate-release
9 microparticles, separate from those other components, the
10 acid and the three suspending agents or viscosity agents.

11 Q. And does Avadel's patent disclose which particular
12 acid to use?

13 A. It discloses here the use of malic acid.

14 Q. And would a formulator know -- is there a broader
15 list of acids?

16 A. There is a broader list of acids, yes.

17 Q. And would a formulator know which acid to select from
18 the group?

19 A. Acids, for the function that they're fulfilling, are
20 almost interchangeable because of the function that's there.

21 Q. Now, what does the Avadel '866 patent tell a skilled
22 formulator about the preferred packaging?

23 A. This is taken from the specification. The heading of
24 this section is called "Packaging," and you can see the
25 words there where it says, "The modified-release formulation

DIRECT EXAMINATION - WILLIAM CHARMAN

1 is preferably supplied in sachets or stick-packs." That's
2 where the sachet concept is described.

3 Q. And what did you conclude about whether the '866
4 patent demonstrates possession of a formulation that falls
5 within the scope of Claim 24 of the '782 patent?

6 A. I determined that it does.

7 Q. Did you review any other information on the issue of
8 possession of such a formulation?

9 A. Yes, I did.

10 Q. What was it?

11 A. As I had access to internal Jazz documents, I
12 similarly had access to internal Avadel documents. And I've
13 reviewed those, and I have seen formulation reports and the
14 rationale, the approach they've taken, the results from that
15 development program that led to that invention.

16 Q. And we spoke about the difficulties in formulating
17 oxybate. What did you learn in the course of your review
18 about how the Avadel inventors were able to overcome those
19 difficulties to achieve Lumryz?

20 A. I learned the way in which these microparticles are
21 prepared, the challenges that they have addressed, the
22 dissolution data from that final formulation, the
23 pharmacokinetic data show it works in humans. That
24 ultimately became the approved product by the FDA that I
25 know as Lumryz. As a formulator, I find this to be a very

DIRECT EXAMINATION - WILLIAM CHARMAN

1 impressive piece of work.

2 Q. And who did you conclude was in possession of a
3 formulation falling within Claim 24 first?

4 A. Scientists from Avadel.

5 Q. Now, let's turn to the prosecution history.

6 MR. SCHULER: And if we could get -- we need
7 JTX12 and 11 and then DTX1644.

8 BY MR. SCHULER:

9 Q. All right. Doctor, do you have JTX11 and 12?

10 A. Yes, I do.

11 Q. What are they?

12 A. Prosecution history documentation.

13 MR. SCHULER: Your Honor, we move for admission
14 of JTX11 and JTX12.

15 MR. CALVOSA: No objection.

16 THE COURT: JTX11 and JTX12 are admitted.

17 (Exhibits admitted.)

18 BY MR. SCHULER:

19 Q. And if you can find DTX1644 and 1645.

20 A. Yes, I found those.

21 Q. And what are those?

22 A. Part of the prosecution history documents.

23 MR. SCHULER: And we move for admission of
24 DTX1644 and 1645.

25 MR. CALVOSA: No objection.

DIRECT EXAMINATION - WILLIAM CHARMAN

1 THE COURT: DTX1644 and DTX1645 admitted.

2 (Exhibits admitted.)

3 BY MR. SCHULER:

4 Q. When was the first application filed relating to the
5 '782 patent?

6 A. In February 2016.

7 Q. And what were the claims of that application directed
8 to?

9 A. As highlighted here in the yellow, they were directed
10 to ion exchange resins.

11 Q. And what happened next?

12 A. There was the prosecution process and there was
13 advancement in claims in 2019.

14 Q. What were those claims directed to?

15 A. Similarly to ion exchange resins.

16 Q. And what happened next from the Jazz perspective?

17 A. There was a curious change from those ion exchange
18 resins in September 2019 to these claims that were submitted
19 in that application in March 2021, and those claims
20 described no longer the ion exchange resin but the
21 immediate-release portion, modified-release portion,
22 viscosity agent, and an acid where the two of those are
23 separate from the other components.

24 Q. And how would you describe the nature of that change?

25 A. As abrupt.

DIRECT EXAMINATION - WILLIAM CHARMAN

1 Q. And did you see anything that may have led to that
2 change?

3 A. Yes, the Avadel '866 issued intermediate between
4 September 2019 and March 2021.

5 Q. And what did you depict on DDX-WC0.085?

6 A. So what I've done here is to -- on the left-hand
7 side, this is the '866 Avadel patent that issued in
8 August 2020. And then on the right-hand side is what was
9 filed by Jazz in March 2021, and I'm just going to walk
10 through what the key elements are.

11 Firstly, is that each now, or each demonstrates
12 the presence of an immediate-release portion and a
13 modified-release portion of oxybate. Each demonstrates the
14 presence of a suspending or viscosity-enhancing agent, and
15 there are examples of what those agents are. It then moves
16 on to show the presence of an acidifying agent or an acid,
17 and there are examples of those acids listed, and
18 interestingly, the order of those are identical in terms of
19 the listing of the acids. And, importantly, the fact that
20 the acid and the suspending agent both need to be separate
21 from the immediate-release and modified-release particles.

22 Q. And what did you conclude based on the language
23 alone?

24 A. They're nearly identical.

25 Q. And did Jazz see Avadel's patent?

DIRECT EXAMINATION - WILLIAM CHARMAN

1 A. Yes, as we heard this morning from the video
2 deposition testimony from Mr. McGarrigle, the Jazz patent
3 attorney, he highlighted or described how the drafting of
4 those new '782 claims -- sorry, the new claims in the '782
5 application, that the patent attorneys referred to the
6 Avadel patent as they were drafting those new claims.

7 Q. And what did you conclude about who first had
8 possession of a formulation falling within the scope of
9 Claim 24?

10 A. I concluded that Avadel first had possession of that
11 invention.

12 Q. Now, let's talk about Claim 19 of the Jazz '782
13 patent. What does it involve?

14 A. Claim 19 of this patent, as highlighted here in
15 yellow, describes a unit dose of Claim 14 -- that's the
16 formulation in the sachet -- wherein eight hours after
17 administration of the formulation -- so that's after
18 administering that formulation to a subject -- that there
19 were blood concentrations of oxybate ranging from 15 to 30,
20 as described there.

21 So take the formulation, give it to a subject,
22 and at eight hours, these are the concentrations of oxybate
23 in the blood.

24 Q. Does Jazz's '782 patent specification support a unit
25 dose of oxybate that achieves such blood levels after

DIRECT EXAMINATION - WILLIAM CHARMAN

1 eight hours?

2 A. No.

3 Q. And does Avadel's 08 -- I'm sorry, '866 patent show
4 possession of a formulation that can achieve that range?

5 A. Yes. On the left-hand side there are data from the
6 Avadel patent, and those are data from human subjects that
7 had been administered the formulation and where the blood
8 concentration of oxybate at eight hours was, in fact,
9 measured, and those data are described on the left-hand side
10 there.

11 Q. Now, I want to talk to -- talk to you about
12 nonenablement. What conclusion did you form on enablement
13 of the two patents at issue?

14 A. That neither are enabled.

15 Q. And what standard did you apply in evaluating the
16 issue of enablement?

17 A. That the specification needs to be able to
18 demonstrate to a person of skill in the art the way in which
19 to practice the full scope of the invention.

20 MR. SCHULER: If you could go to DDX90, Mr.
21 Jarrett. No, DDX.

22 BY MR. SCHULER:

23 Q. All right. What specific criteria were you asked to
24 evaluate in discerning whether or not enablement was
25 satisfied?

DIRECT EXAMINATION - WILLIAM CHARMAN

1 A. Yes, there's a framework that I followed, they are
2 called Wands factors, each of those Wands factors are
3 described in those green boxes. And I assessed each of
4 those factors with respect to each of the patents as to
5 whether or not that part of the Wands factor was satisfied.

6 Q. All right. What did you conclude -- the first is
7 scope of the claims. What did you conclude about the
8 scope -- the breadth of the scope of the claims of the '488
9 patent?

10 A. A bit of a revision. This is the '488 patent slide
11 that we had. This is just demonstrating how broad it is,
12 it's -- or the breadth of that encompassed with the scope of
13 the claims.

14 Q. And what did you conclude about the breadth of the
15 '782 patent claims?

16 A. Further revision. This is what we saw before with
17 regard to the '782 patent and how broad those claims are.

18 Q. Now, the next is nature of the invention. What is
19 the nature of the invention here, Doctor?

20 A. The nature of the invention is working with a
21 difficult-to-formulate drug, high-dose, solubility,
22 permeability, those issues. So a difficult-to-formulate
23 drug and the nature of the invention is to formulate that
24 difficult-to-formulate drug into a medicine or an
25 extended-release or modified-release formulation that would,

DIRECT EXAMINATION - WILLIAM CHARMAN

1 in fact, modify the release of the drug for the benefit of
2 the patient.

3 Q. And is there information in the -- either of the
4 patent specifications that would allow a formulator to
5 reliably predict whether a given formulation would have the
6 desired release profile?

7 A. Yes.

8 Q. Next, the level of skill in the art and the state of
9 the art. What was the state of the art as of 2011, when the
10 original filing -- the original application was filed for
11 the '488 patent?

12 A. The commercial formulation of oxybate that was
13 available in the marketplace was Xyrem, the solution
14 formulation, that's what the state of the art was at the
15 time.

16 Q. How had it changed, if at all, by 2016 when the '782
17 patent was filed?

18 A. It was still dissolution formulation. There were no
19 other examples of extended-release formulations available.
20 So the state of the art was basically the same.

21 Q. And how does that inform your opinion on enablement?

22 A. The challenge is as significant as we have described,
23 there was no guidance from the state of the art as to what
24 to do.

25 Q. Next is teachings in the patent. You've talked about

DIRECT EXAMINATION - WILLIAM CHARMAN

1 that a lot. What did you conclude about whether there are
2 teachings in the patent sufficient of both specifications to
3 teach a person how to make and use the full scope of what's
4 claimed?

5 A. Yes. Not to go through everything we've been
6 through; the teachings in the '488 and the teachings in the
7 '782 are insufficient to inform a formulator as to what to
8 do with regard to the preparation of specification within
9 that scope of the claim.

10 Q. And what did you conclude as to whether there was a
11 common thread teaching in the '488 specification that would
12 guide a formulator to be able to predict whether a
13 particular formulation would be able to sustain release?

14 A. I concluded that there wasn't a common thread in
15 either formulation that could provide that guidance.

16 Q. What about MAMM, why isn't MAMM a common thread that
17 would allow a formulator to predict that a formulation
18 would, in fact, sustain release?

19 A. MAMM, if it's used, right, and it comes with these
20 warnings. But it's one ingredient. There are so many more
21 other ingredients that are necessary within a formulation in
22 order to provide for the modification of release, so I don't
23 consider that as a common thread.

24 Q. And what did you consider as to whether there was
25 some common thread in the '782 patent specification that

DIRECT EXAMINATION - WILLIAM CHARMAN

1 would enable a formulator to reliably predict whether a
2 particular formulation would or would not modify release?

3 A. I could not identify a common thread being present.

4 Q. What did you conclude about the quantity of
5 experimentation that would be required to practice the full
6 scope of the claims?

7 A. It would be excessive. There's a lot of
8 experimentation that would have to be done in an attempt to
9 formulate a material such that it might meet that is -- as
10 is described in the claims.

11 Q. Just as a practical matter, what would a formulator
12 have to do to attempt to make a formulation to see whether
13 it could modify or sustain release?

14 A. Well, you'd have to understand the challenge, come up
15 with the appropriate approaches in terms of following what
16 teachings are available and indicated the limited. They'd
17 have to make the formulation. They would then have to test
18 it. They would have to make another one and test it. Just
19 making it, if you can, doesn't tell you whether it's going
20 to work. So it's, a right trial and error process.

21 Q. And, sir, what did you see -- you evaluated a couple
22 of Jazz programs in the course of your work, is that fair?

23 A. Yes.

24 Q. What were they called?

25 A. The programs, the '488 program and the '782 program,

DIRECT EXAMINATION - WILLIAM CHARMAN

1 one was the sustained-release tablets and the other was the
2 resinate program.

3 Q. Right. If we go back to DTX692. How did Jazz
4 describe that PLE-2 program?

5 A. It described it as an unsuccessful attempt at oxybate
6 sustained release.

7 Q. And if we put up JTX217.2. What did Jazz conclude in
8 the first two bullet points about its resinate effort?

9 MR. CALVOSA: Objection, Your Honor, can't just
10 have the expert witness read the documents in, he has to
11 give an opinion on how it affected his opinion.

12 MR. SCHULER: He will.

13 MR. CALVOSA: Not the last one, you just had him
14 read it.

15 MR. SCHULER: I'll read it and I'll ask him his
16 opinions, that's fine.

17 BY MR. SCHULER:

18 Q. Here it says, "Failure to meet PK criteria after
19 three and a half years and eight formulations, lack of
20 scientific and formulation success."

21 What did you conclude, Doctor, as to whether an
22 ordinary person, ordinary formulator could succeed where
23 Jazz indicates that it could not?

24 A. After that amount of time, that number of
25 formulations and the experience and expertise Jazz has had

CROSS-EXAMINATION - WILLIAM CHARMAN

1 with oxybate over the years, it really wasn't formulation
2 that was meeting an outcome here.

3 Q. And what did you conclude about whether an ordinary
4 formulator would be able to succeed, given that level of
5 effort by Jazz?

6 A. Not possible.

7 MR. CALVOSA: Objection, Your Honor, it's asked
8 and answered three times now. He's not getting the answer
9 he wants.

10 THE COURT: Okay. That's the last time he'll
11 ask it.

12 MR. SCHULER: No further questions.

13 THE COURT: All right. Cross-examination.

14 MR. CERRITO: May I approach, Your Honor?

15 THE COURT: Yes.

16 CROSS-EXAMINATION

17 BY MR. CALVOSA:

18 Q. Dr. Charman, good to see you again, how are you?

19 A. I'm well. Thank you.

20 Q. A birthday in the family -- or have a birthday in the
21 family coming up on Friday, right?

22 A. You have a good memory.

23 Q. I have a great memory.

24 I'd like to start on -- I'm going to work off
25 your slides, just so it's easier.

CROSS-EXAMINATION - WILLIAM CHARMAN

1 MR. CALVOSA: Could we pull up DDX62, please,
2 Mr. Lewis.

3 BY MR. CALVOSA:

4 Q. And you had mentioned before the incorporation by
5 reference of the published application of the '488 patent
6 specification in Allphin 2012, right?

7 A. Yes.

8 Q. And you said how the '782 patent discussed some of
9 the same problems -- or I guess the same three categories of
10 problems that were discussed in the '488 patent, right?

11 A. The three categories, what do you mean?

12 Q. Well, you have them if you go to slide 68, I believe.
13 Yep.

14 A. Oh, the properties of oxybate, yes.

15 Q. Yes. And if you go back to the beginning, when you
16 discussed it in the context of the '488 patent, and we look
17 at what you put on slides 11-16, and you called out
18 different portions of the '488 patent specification, and you
19 had pictures there corresponding to the three problems, you
20 didn't tell the jury what the bottom of that paragraph says,
21 right?

22 MR. CALVOSA: And, Mr. Lewis, can you please
23 call out the bottom three lines.

24 BY MR. CALVOSA:

25 Q. And right there, the inventor in the '488 patent

CROSS-EXAMINATION - WILLIAM CHARMAN

1 discloses that "despite the challenges noted, formulations
2 and unit dosage forms providing controlled release of GHB
3 are described herein," right?

4 A. Yes, it does.

5 Q. And a POSA would have read that, right?

6 A. Pardon?

7 Q. A POSA would have read that, right, you did not read
8 that portion to the jury?

9 A. I'm happy to read it now, no.

10 Q. You said that Avadel's Lumryz product is a
11 microparticle formulation, right?

12 A. Relatively speaking, yes.

13 Q. If we could go to your slide DDX19, you had some
14 information up here and you talked about how a powder is not
15 a microparticle formulation, right?

16 A. Yes.

17 Q. Okay. And that's why there were no microparticles
18 disclosed, you said, even for the immediate-release portion,
19 right?

20 A. That was one of the reasons, yes.

21 Q. Okay. We'll come back to that, I promise. I think I
22 have kept my word when I said I would come back to things
23 this week, so I'll do it.

24 A. Okay. Thank you.

25 Q. You had talked about how loading on a resinate

CROSS-EXAMINATION - WILLIAM CHARMAN

1 doesn't guarantee modified release of a resinate, right?

2 A. Yes.

3 Q. And you don't disagree with me that the '782 patent
4 describes how to load GHB on to a resinate, right?

5 A. That's correct.

6 Q. And it gives different binding affinities for GHB
7 depending which molecule GHB substitutes in for on the
8 resinate, right?

9 A. There's a description of relative binding affinities,
10 yes.

11 Q. And it gives information about how you do that and
12 you do multiple washes and equilibrium to get the most
13 loading on that resinate, right?

14 A. Yes, there's an example.

15 Q. But you just think that binding a resinate doesn't
16 equal releasing a resinate, right?

17 A. No, it doesn't.

18 Q. Okay. Now, you've never personally prepared any
19 formulations for resinate, correct?

20 A. That's correct.

21 Q. And you have not worked with your own hands on the
22 use of resins for the control on drug release, right?

23 A. I have worked with resins for other purposes but not
24 personally for the control of drug release, that's correct.

25 Q. And control of drug release is what we're here

CROSS-EXAMINATION - WILLIAM CHARMAN

1 talking about this week, right?

2 A. Yes, it is the concept in my report, of course.

3 Q. And you've never published on using resins to control
4 a release of any drug formulation, right?

5 A. That's correct.

6 Q. And you don't have any products related to control
7 and the release of drug patents with resins, right?

8 A. That's correct.

9 Q. The challenges we looked at for GHB and then the ones
10 the inventor said they overcame, the inventors actually had
11 hands-on experience working with GHB, right?

12 A. Yes, they did.

13 Q. You've never worked hands on in the formulation of
14 GHB, have you?

15 A. No, I haven't.

16 Q. And you've never published on the formulation of GHB,
17 correct?

18 A. That's correct.

19 Q. And none of your patents talk about the formulation
20 of GHB; is that right?

21 A. Correct.

22 Q. You mentioned before that -- when counsel asked you
23 about the transition from tablets to microparticles, that
24 you tried it and was unsuccessful. Did I hear that right?

25 A. I described how I was working with a team of

CROSS-EXAMINATION - WILLIAM CHARMAN

1 experienced formulators and we were unsuccessful and unable
2 to go from a coated tablet to coated microparticles of the
3 same medicine.

4 Q. You have formulated both a tablet and a microparticle
5 modified-release formulation of the same active ingredient,
6 right?

7 A. Yes, I have.

8 Q. And that's what you told me at your deposition,
9 right?

10 A. Yes, that's right.

11 Q. We looked at a lot of internal Jazz documents for
12 your possession opinions earlier, right?

13 A. Yes.

14 Q. And we looked at a lot of Avadel internal documents
15 for your possession opinions earlier, right?

16 A. Yes.

17 Q. And with respect to the Jazz documents, you actually
18 prepared something called Appendix E to your expert report
19 as part of your work in this case, right?

20 A. That's correct.

21 Q. Now, Appendix E was just a selection of documents
22 that Jazz provided to Avadel in discovery in this case,
23 right?

24 A. They were included in this -- in the documents that I
25 reviewed in Appendix E, I can't remember every reference

CROSS-EXAMINATION - WILLIAM CHARMAN

1 that I cited in Appendix E, but they were documents from
2 Jazz, yes.

3 Q. And the way you got those documents to prepare
4 Appendix E, is that they were selected by Avadel's counsel,
5 right?

6 A. They were provided to me by Avadel's counsel, yes.

7 Q. Sir, they were selected by Avadel's counsel, correct?

8 A. I guess they had to select them to provide them, yes.

9 Q. They didn't provide you with every document that Jazz
10 produced to them?

11 A. No. No.

12 Q. Okay. And you didn't ask Avadel's counsel for any
13 specific documents for the preparation of your Appendix E
14 when you were preparing your opinions, right?

15 A. The documents that I had been provided, I went
16 through them and those that I felt were relevant to the
17 opinions and the description I was given, they are, then,
18 referenced in the reference list in the back.

19 Q. Sir, that wasn't my question.

20 A. Sorry.

21 Q. We established that counsel selected the documents
22 for you.

23 A. Yes.

24 Q. My next question, what I asked, and please, I'm on
25 limited time, I'll get yelled at, just yes-or-no answers if

CROSS-EXAMINATION - WILLIAM CHARMAN

1 you can.

2 You didn't ask Avadel's lawyers for any specific
3 documents for preparation of Appendix E?

4 A. No, there was no specific document asked for. I had
5 what I felt that I needed to prepare Appendix E.

6 Q. But you wouldn't know what else was relevant unless
7 counsel gave you the documents, right, you didn't have the
8 universe to look at?

9 A. From Jazz itself, no, I didn't.

10 Q. And the same was true with respect to deposition
11 transcripts that you reviewed before you prepared your
12 expert report and heard all the facts in this case, right?

13 A. There was some deposition transcripts that I had
14 reviewed and they were provided to me, yes.

15 Q. And they were selected for you by counsel for Avadel,
16 right?

17 A. They identified what they wanted me to review and
18 then provided them to me.

19 Q. As part of your work in this case, you reviewed the
20 file history of the '782 patent, right?

21 A. Yes.

22 Q. And that's the one we're here about today, correct?

23 A. Well, we're here for both, right? '782 and '488.

24 Q. '782 and '488, so it's one of the ones we're here for
25 today.

CROSS-EXAMINATION - WILLIAM CHARMAN

1 A. Yes.

2 Q. There was also another related patent, the '079
3 patent, correct?

4 A. Yes.

5 Q. And counsel actually gave you the '079 patent's file
6 history before in those big voluminous binders?

7 A. I didn't go through each of the pages that were in
8 there. When I was asked what they were, I described them as
9 prosecution history, I didn't look to see which of the
10 different patents it referred to. It was prosecution
11 history material, I think I described it as "prosecution
12 history documents."

13 Q. Can you turn to JTX5 in your binder, it should be the
14 first one.

15 A. Yes.

16 Q. That's the '079 patent, right?

17 A. Yes, it is.

18 MR. SCHULER: Objection, Your Honor, sidebar.

19 (Whereupon, a discussion was held at sidebar as
20 follows:)

21 MR. SCHULER: Objection. This has been dropped
22 from the case. It's not relevant to any issue that I'm
23 aware of. Why are we going --

24 MR. CALVOSA: You marked the file history for
25 the '079 patent.

CROSS-EXAMINATION - WILLIAM CHARMAN

1 MR. SCHULER: I didn't ask any questions.

2 MR. CALVOSA: You entered it into evidence for
3 what purpose? Regardless, I asked him, in his '079 patent
4 specification, substantially said, "Are any of your opinions
5 different between the '079 specification and the '782
6 specification?" He said, "No," so then I used the '079
7 patent specification during the deposition.

8 MR. SCHULER: Patent specification during the
9 deposition, I'm just trying to establish that now, so that
10 way, if there's an issue where impeachment comes up, I can
11 use the '079 patent as well.

12 THE COURT: That's fine.

13 MR. SCHULER: I was just going to say I may have
14 asked you about this --

15 MR. CALVOSA: It's just a line and the close-up
16 numbers are different. I don't want to get into a situation
17 where she's saying that's not what it says.

18 (Whereupon, the discussion held at sidebar
19 concluded.)

20 BY MR. CALVOSA:

21 Q. You recognize the JTX035 as the '079 patent, right?

22 A. Yes.

23 MR. CALVOSA: And, Your Honor, I'd like to enter
24 the '079 patent, JTX05 for evidence at this time.

25 MR. SCHULER: Limited purpose for which it was

CROSS-EXAMINATION - WILLIAM CHARMAN

1 offered, no objection.

2 THE COURT: All right. It's limited for the
3 limited purposes to discuss --

4 (Exhibit admitted.)

5 BY MR. CALVOSA:

6 Q. I didn't want to break my promise so my colleague
7 over here brought me JTX87, which is the Lumryz label.

8 A. Yes.

9 Q. And you've seen this before, right?

10 A. Yes, I have.

11 MR. CALVOSA: Okay, Your Honor, I'd move to
12 admit JTX87.

13 MR. SCHULER: No objection.

14 THE COURT: JTX87 is admitted.

15 (Exhibit admitted.)

16 BY MR. CALVOSA:

17 Q. And we were talking about before how the Lumryz is
18 the microparticle formulation and how in your opinion a
19 powder is not a microparticle, right?

20 A. Yes.

21 Q. Can you --

22 A. As described in that part of the specification, but
23 yes.

24 Q. Just that part of the specification?

25 A. No, no, I said before, more broadly as well, but

CROSS-EXAMINATION - WILLIAM CHARMAN

1 that's the anchor point in terms of the comments that I made
2 here to the question that I was given.

3 Q. Can you turn to page 3 of JTX87.

4 MR. CALVOSA: And, Mr. Lewis, if you could blow
5 up section 3, dosage forms and strengths.

6 BY MR. CALVOSA:

7 Q. It says right here that Lumryz is a white to
8 off-white powder, correct?

9 A. Yes.

10 Q. Okay. So...

11 MR. CALVOSA: You can bring that down.

12 BY MR. CALVOSA:

13 Q. Back to the '079 patent and how it's related to the
14 '782 patent. You agree that the specification of the '079
15 patent is substantially similar to the specification of the
16 '782 patent, right?

17 A. There's two or three paragraphs different, so if that
18 means "substantially similar," yes.

19 Q. None of the changes between the specification of the
20 '079 patent and the '782 patent affect your opinions, right?

21 A. Opinions with respect to what?

22 Q. With respect to any of the opinions you offered here
23 today?

24 A. I don't believe so.

25 Q. Do you recall telling me at your deposition no, they

CROSS-EXAMINATION - WILLIAM CHARMAN

1 don't?

2 A. That was a long time ago, I'm just trying to think
3 how much you've got stacked up in there.

4 Q. All right. Why don't we turn to your deposition
5 transcript. Volume 2, page 287.

6 A. Where do I find that?

7 Q. It's at the very end of your binder.

8 A. Oh, sorry, volume 2, 287.

9 Q. 287:23-288:1. And I'll ask you again, Dr. Charman.

10 "QUESTION: Do any of the changes between the
11 '079 patent specification and the '782 patent specification
12 affect your opinions?" --

13 A. Yes, I said that, "No, they don't." And the reason I
14 didn't give you that exact answer then is that I had not
15 looked at the '079 for a little while.

16 Q. -- I'm not trying to call you a liar. I'm just
17 trying to establish that they are the same.

18 A. Yes.

19 Q. You reviewed the file histories for the '079 and the
20 '782 patent, right?

21 A. Yes.

22 Q. And that's back and forth with the Patent Office that
23 Jazz had, correct?

24 A. Correct.

25 Q. And you don't know whether the examiner did a

CROSS-EXAMINATION - WILLIAM CHARMAN

1 substantive written description analysis for the '079
2 patent's claims, right?

3 MR. SCHULER: Now I do object, Your Honor.

4 MR. CALVOSA: I'm happy to explain why.

5 (Whereupon, a discussion was held at sidebar as
6 follows:)

7 THE COURT: Speak one at a time. You need to
8 speak one at a time. Speak to me. No double-teaming.

9 MR. SCHULER: My objection is that he's now
10 asking about the claim and subject matter, of course, any
11 review under 112 would be with respect to what was the
12 claimed subject matter. That's different from the '079
13 patent and the '782 patent that they are now asserting.

14 MR. CALVOSA: It's the overlapping sachet
15 element, Your Honor. The examiner did a substantive
16 description analysis specific to the sachet element in the
17 '079 and Dr. Charman didn't consider that, and that's
18 relevant because the specification of the '782 patent, as I
19 just established, is substantially the same as the '079 and
20 none of the changes affect his written description.

21 MR. SCHULER: They had a chance to examine for
22 two days. They could have asked him about Claim 24, the
23 claim they are asserting, and asked him questions about what
24 the examiner did or didn't consider. They didn't do that.

25 MR. CALVOSA: The examiner considered -- in the

CROSS-EXAMINATION - WILLIAM CHARMAN

1 context of the '079, it was the same examiner. It was
2 literally days apart. It was what they're saying she went
3 through, both patents' file histories, she was doing things
4 for both. She did the sachet in the '079. She did other
5 elements in the -- and once the examiner did it for the
6 '079, as my colleague pointed out, then there's no reason to
7 do it for the '782.

8 MR. SCHULER: Your Honor, he offered it for a
9 limited purpose. He said --

10 MR. CALVOSA: You entered the entire file
11 history.

12 (Reporter clarification.)

13 THE COURT: Speak to me.

14 MR. SCHULER: He offered it for the limited
15 purpose he said --

16 (Simultaneous talking.)

17 MR. SCHULER: My impeachment is that I asked him
18 about the '079 specification and I said for that limited
19 purpose I understand. But now he's asking about the claimed
20 subject matter, which is different.

21 MR. CALVOSA: Your Honor, it's the entire file
22 history that he entered into evidence --

23 (Simultaneous talking.)

24 MR. CALVOSA: I can use that. He entered it
25 into evidence.

CROSS-EXAMINATION - WILLIAM CHARMAN

1 THE COURT: And so she only did one analysis of
2 the sachet, you're saying, the analysis would have applied
3 to the '488 patent and this patent?

4 MR. CALVOSA: The '782 patent.

5 THE COURT: So what's your response to that?

6 MR. SCHULER: That is a large logical leap that
7 this witness will not be competent to comment on.

8 MR. CALVOSA: I'm not going to ask him if he
9 knows the examiner did a substantive review. He already
10 answered no. That's all I'm getting to. It's two to three
11 questions, Your Honor. I'm not going to go into the file
12 history.

13 MR. SCHULER: Again, the limited purpose was I
14 asked my questions at deposition and they were about the
15 '079 specification.

16 MR. CALVOSA: That was about -- about the
17 patent. He entered the entire file history. He's trying to
18 confuse -- I'm wasting a ton of time here.

19 MR. SCHULER: My point is one question after a
20 limited purpose. We now jump to the limited purpose. He's
21 now asking about the claimed subject matter rather than his
22 impeachment.

23 (Simultaneous talking.)

24 MR. CERRITO: To be fair, that was a limited
25 purpose to that question that was then pending. If he

CROSS-EXAMINATION - WILLIAM CHARMAN

1 enters the file history and we can't ask questions about
2 that, that seems a little unfair.

3 THE COURT: All right. I'm going to allow no
4 more than three questions.

5 MR. CALVOSA: Thank you, Your Honor.

6 (Whereupon, the discussion held at sidebar
7 concluded.)

8 BY MR. CALVOSA:

9 Q. You don't know whether the examiner did a substantive
10 written description analysis for the '079 patent's claims,
11 correct?

12 A. I have reviewed the prosecution history. I don't
13 recall seeing that term within it, but there were many pages
14 and I did that some time ago.

15 Q. And you don't know whether the examiner did a
16 substantive written description analysis for the '782 patent
17 claims, right?

18 A. Same answer for that, yes.

19 Q. You only read through the file histories of those
20 patents in what you termed "quickly," right?

21 A. Quickly doesn't mean ignoring the key parts. I
22 went -- there's many pages for the different parts, so I was
23 looking at it section by section. So if that's what you
24 describe as "quickly," that's what I did, but I was looking
25 for headings, and if the heading wasn't relevant, I then

CROSS-EXAMINATION - WILLIAM CHARMAN

1 didn't read all the material that came after that.

2 THE COURT: So, Dr. Charman, did you use the
3 term "quickly" in your response to Mr. Calvosa's question?
4 That's all he was asking you.

5 THE WITNESS: Oh, I was trying -- well --

6 THE COURT: Your counsel will get a chance to
7 redirect and allow you to explain what quickly means, but
8 he's just simply asking you: Did you use the term
9 "quickly"?

10 THE WITNESS: When I was reviewing them -- I'm
11 sorry. I'm --

12 BY MR. CALVOSA:

13 Q. I'll ask the question again.

14 A. Yes.

15 Q. Dr. Charman, you only read through the file histories
16 of the '079, '782 patents in what you called "quickly,"
17 correct?

18 A. Quickly and carefully, but...

19 Q. Dr. Charman, when I asked you at your deposition, you
20 only said "quickly," right?

21 A. I don't -- well, if that's what I said, yes, I'm
22 providing you some context here today.

23 Q. Your counsel can ask for context on redirect.

24 A. Okay, okay.

25 Q. I'm on a limited clock. Please --

CROSS-EXAMINATION - WILLIAM CHARMAN

1 A. Yes.

2 MR. CALVOSA: Your Honor, would you like to take
3 a break at this time?

4 THE COURT: Yes. How much longer do you think
5 you have?

6 MR. CALVOSA: 20, 30 minutes.

7 THE COURT: Okay. All right.

8 All right. Ladies and gentlemen of the jury,
9 we're going to take the afternoon break at this time.

10 (Whereupon, the jury left the courtroom.)

11 THE COURT: All right. Take a ten-minute break
12 then back.

13 MR. SILVER: Your Honor, can we talk on the
14 record before the jury comes back in after the break?

15 THE COURT: Yes. But do you want to do it now
16 before we --

17 MR. SILVER: We still have --

18 MR. CALVOSA: May I be excused, Your Honor? Do
19 a little prep work, see if I can cut it down? Thank you.

20 MR. SILVER: So, Your Honor -- thank you, Your
21 Honor. So the way this is playing out, it appears that we
22 will be in a position to close our case this afternoon.

23 Under the circumstances that we've already discussed with
24 regard to Dr. Meyer and her condition, I don't --

25 MR. SCHULER: Dr. Charman, you want a break?

CROSS-EXAMINATION - WILLIAM CHARMAN

1 THE WITNESS: Sure.

2 THE COURT: Dr. Charman, you're still under
3 oath, so you can't talk to your counsel until you finish.

4 THE WITNESS: I understand. Thank you.

5 MR. SILVER: So, Your Honor, with regard to Dr.
6 Meyer and her condition, the way it's shaping up is we are
7 not planning to call her and we'll just rest our case after
8 this witness.

9 However, under the circumstances, we were
10 inclined to ask Your Honor for some sort of instruction, but
11 after conferring with counsel, we have agreed that neither
12 side will reference during closing that Dr. Meyer had an
13 issue that precluded her from testifying or that Avadel
14 didn't present a damages witness. We just want to make sure
15 that the playing field remains level in the light of the way
16 things transpired.

17 THE COURT: Okay. All right.

18 MS. THOMPSON: Yes, we have no objection to
19 that --

20 THE COURT: All right.

21 MS. THOMPSON: -- that we won't be referencing
22 Avadel not presenting an expert witness on damages or Dr.
23 Meyer at all.

24 THE COURT: All right. All right. Sounds like
25 both parties are in agreement and agree to not reference the

CROSS-EXAMINATION - WILLIAM CHARMAN

1 fact that Avadel has not presented a damages expert and will
2 make no reference to Dr. Meyer at all. All right.

3 So we'll come back at 4:15.

4 MR. SILVER: Thank you, Your Honor.

5 (Break taken.)

6 MR. CERRITO: Your Honor, we've been talking
7 about just time management and where this is going to land.
8 Obviously upon the close of their case, I wanted to make my
9 Rule 50 motion, but instead of wasting the jury's time and
10 Your Honor's time, obviously, if we could do a short
11 submission, they'll oppose, Your Honor will rule, and we
12 think that that would allow us to finish the case,
13 hopefully, by the lunch break on Thursday, have the charge
14 conference, and be ready to close Friday morning.

15 THE COURT: All right. So you'll submit your
16 written submission tonight --

17 MR. CERRITO: Yeah.

18 THE COURT: -- and they'll respond and then --

19 MR. CERRITO: Nine o'clock, Your Honor.

20 THE COURT: Yeah -- and then I can rule in the
21 morning on it.

22 MR. CERRITO: Well, when you rule for us, yes,
23 it'll be -- it would be really nice. Yeah, I don't want to
24 waste the jury's time --

25 THE COURT: Right. Well, the jury wasn't going

CROSS-EXAMINATION - WILLIAM CHARMAN

1 to hear --

2 MR. CERRITO: No, no, but they'd have to wait
3 while --

4 THE COURT: Well, I was going to let them go.

5 MR. CERRITO: Oh.

6 THE COURT: By the time we get finished, it's
7 probably going to be close to 5:30 anyway, but I'm willing
8 to -- if both sides are willing to get their submission in
9 by -- you do yours by what time?

10 MR. CERRITO: Nine o'clock -- nine o'clock, Your
11 Honor.

12 THE COURT: Let me hear from you.

13 MS. DURIE: Could we -- could we just chat about
14 it for one moment, Your Honor.

15 THE COURT: All right. If Defendant could get
16 theirs in, let's say, by 6:00 a.m.

17 MS. DURIE: That seems unduly --

18 MR. CALVOSA: It seems unfair to them.

19 (Discussion held between counsel off the
20 record.)

21 MS. DURIE: We'll just do it on the record.

22 THE COURT: Okay. We'll go on the record.

23 All right. So what we'll do is we'll do those
24 first thing in the morning, so we'll finish with this. That
25 should take us to 5:00.

CROSS-EXAMINATION - WILLIAM CHARMAN

1 MR. CALVOSA: I'm hopeful for shorter than that,
2 Your Honor.

3 THE COURT: Okay. You're going to have some
4 redirect, I assume, maybe.

5 All right. And then -- so we'll see where we
6 are at. Let's get the jury.

7 (Whereupon, the jury entered the room.)

8 BY MR. CALVOSA:

9 Q. Dr. Charman, I want to talk about your inventorship
10 opinions, quickly.

11 You're not offering an opinion that the '488
12 patent is invalid for improper inventorship or derivation if
13 the '488 patent has support in the March 24th, 2011,
14 application to which it claims priority, right?

15 A. I have not offered opinions about that.

16 Q. And you're not offering an opinion that the '782
17 patent is invalid for improper inventorship if the '782
18 patent has support in the February 18, 2016, application to
19 which it claims priority, right?

20 A. I have not offered that opinion.

21 MR. CALVOSA: Mr. Lewis, could we pull up his
22 Slide No. 39.

23 BY MR. CALVOSA:

24 Q. And, Dr. Charman, you had some opinions about some
25 dissolution data that Mr. Allphin talked about earlier this

CROSS-EXAMINATION - WILLIAM CHARMAN

1 week, right?

2 A. Yes.

3 Q. And you made it a point for the third from the bottom
4 to point out that it wasn't done in USP 2, right?

5 A. Yes, I did.

6 Q. And you heard Mr. Allphin say that he at the time
7 understood that he would get similar results in USP
8 Apparatus 2 as USP Apparatus 7, correct?

9 A. I did hear him say that, yes.

10 Q. Did you think he was lying?

11 A. I don't agree with him.

12 Q. You just don't agree with him?

13 A. I don't agree with him.

14 Q. Now, you did not conduct any experimentation with
15 gamma-hydroxybutyrate to see if there was any difference
16 dissolving it in USP 2 versus USP 7, correct?

17 A. No, I haven't.

18 Q. And you relied on Ms. Gray for her dissolution
19 opinions, correct, right?

20 A. In part, yes, as well as my own assessment of the
21 situation.

22 Q. And we heard Ms. Gray also say she did not do any
23 testing, right?

24 A. That's correct.

25 Q. And do you remember that Ms. Gray put up that table,

CROSS-EXAMINATION - WILLIAM CHARMAN

1 and there's a lot of red up there, and said not deionized
2 water for all the examples in the '488 patent?

3 A. Yes, I remember a slide with different boxes and
4 different amounts of red on it, yes.

5 Q. You also relied on another expert for Avadel in this
6 case, correct, a Dr. Scharf?

7 A. Yes, I believe I have in terms of earlier reports,
8 yes.

9 Q. Dr. Scharf is not with us this week, is he?

10 A. I don't know. I haven't been here for all the
11 testimony of different witnesses.

12 MR. SCHULER: Your Honor, sidebar.

13 (Whereupon, a discussion was held at sidebar as
14 follows:)

15 MR. SCHULER: He didn't offer any opinions in
16 this examination that he relied on Dr. Scharf, so I don't
17 see any relevance.

18 MR. CALVOSA: I couldn't hear what he said. I'm
19 sorry.

20 THE COURT: He didn't offer any opinions during
21 his testimony that he relied on Dr. Scharf.

22 MR. CALVOSA: But he is offering opinions on
23 whether it's USP 2 and USP 7, and he's relying on Ms. Gray
24 for that, and he's also relying on her discussion of whether
25 the testing of the patent itself, which is both USP 2 and

CROSS-EXAMINATION - WILLIAM CHARMAN

1 USP 7, was done in deionized water. Remember the red chart,
2 he similarly relied on Dr. Scharf, and Dr. Scharf said the
3 opposite of what Ms. Gray said.

4 MR. SCHULER: I think Dr. Scharf testified about
5 once-nightly, right?

6 MR. CALVOSA: I'm just going to show the report.

7 MR. SCHULER: It says.

8 (Simultaneous talking.)

9 MR. SCHULER: Once-nightly.

10 MR. CALVOSA: No --

11 (Simultaneous talking.)

12 MR. SCHULER: You offered --

13 (Simultaneous talking.)

14 THE COURT: One at a time.

15 MR. SCHULER: Your Honor made a ruling motion in
16 limine. We respect it. We did not call Dr. Scharf for that
17 reason.

18 THE COURT: Yeah, so I'm going to -- just so
19 that we don't even have an issue, I'm going to sustain the
20 objection.

21 (Whereupon, the discussion held at sidebar
22 concluded.)

23 BY MR. CALVOSA:

24 Q. Dr. Charman, do you recall whether any other expert
25 you relied upon in this case informed your opinion that the

CROSS-EXAMINATION - WILLIAM CHARMAN

1 dissolution testing and examples 2-9 --

2 MR. SCHULER: Objection, Your Honor.

3 THE COURT: Sustained.

4 MR. CALVOSA: If we could pull up Dr. Charman's
5 slide 25, please.

6 BY MR. CALVOSA:

7 Q. And you note that there were 13-plus pore formers in
8 Jazz's '488 patent, right?

9 A. Yes.

10 Q. Only the three pore formers on the right are enteric
11 pore formers, correct?

12 A. Correct.

13 Q. And you didn't mention it, but if we go to JTX003.23,
14 at column 13, beginning at line 35-47. The '488 patent
15 specification teaches that the percent by weight for the
16 methacrylic acid-methyl methacrylate is from about
17 20 percent to about 50 percent by weight of the coating
18 composition, right?

19 A. I see the 20-50 percent. Where does it say "MAMM"?

20 Q. It says, "Where included, the amount and nature of
21 the pore former included in the functional coating
22 composition can be adjusted to obtain the desired release
23 rate characteristics for a given drug substance," right?

24 A. Yes, it describes pore former, yes.

25 Q. And the MAMM is a pore former, correct?

CROSS-EXAMINATION - WILLIAM CHARMAN

1 A. MAMM is listed in the section describing pore
2 formers, yes.

3 Q. And one of only three enteric pore formers, correct?

4 A. That's right.

5 Q. You mentioned some what you call downsides of using
6 MAMM.

7 A. Yes.

8 Q. Do you remember that?

9 A. In the context of the tablet formulations, yes.

10 Q. And you agree with me that the '488 patent teaches
11 that where a start up lag time is desired, the use of
12 enteric pore formers would impart a start up lag time,
13 correct?

14 A. There is some language in column 19 about that.

15 Q. A POSA would have known what a lag time was before
16 reading Jazz's patent, correct?

17 A. The term "lag time" would be familiar to a POSA, but
18 it's the specificity of the description of lag time here
19 that would then inform the POSA as to what was meant by that
20 particular term.

21 Q. And you had no opinion on whether a POSA would have
22 known of benefits of having a lag time for a
23 sustained-release dosage form, correct?

24 A. Could you say that again, please.

25 Q. You have no opinions of whether a POSA would have

CROSS-EXAMINATION - WILLIAM CHARMAN

1 known of any benefits for using a lag time with a
2 sustained-release formulation, right?

3 A. No, I don't provide that opinion.

4 Q. I'd like to pull up your slide No. 49. And here you
5 were talking about the claims as originally filed in Jazz's
6 '369 priority application that led to the '488 patent,
7 correct?

8 A. That's linked to the date on the left there; is that
9 right?

10 Q. March 2011?

11 A. Yeah, yeah.

12 Q. That's what you said on your direct?

13 A. Yes, I'm just trying -- there's slides with claims
14 and different patents that it's linked to, so I just wanted
15 to confirm that's the one it was linked to, thank you.

16 Q. You have the '369 application in front of you?

17 A. In one of those big binders, yes.

18 Q. Can you please pull that up and go to the page 1034
19 of that.

20 A. Which exhibit number is that, please?

21 Q. It is PTX807.

22 MR. SCHULER: Sorry, where is it in the binder?

23 (Discussion held between counsel off the
24 record.)

25 THE WITNESS: I have got PTX0807.

CROSS-EXAMINATION - WILLIAM CHARMAN

1 BY MR. CALVOSA:

2 Q. And can you please turn to where you said where the
3 claims originally filed in March 2011 that you said were
4 limited to tablets, page 1034 of that?

5 MR. SCHULER: Objection, Your Honor, that's not
6 what was on the screen.

7 MR. CALVOSA: Yes, it was.

8 MR. SCHULER: What was on the screen was the
9 second set --

10 MR. CALVOSA: He testified that that was from
11 March 2011. This is not -- if he wants to redirect him,
12 he's perfectly welcome to, but that's what he testified,
13 Your Honor.

14 THE COURT: PTX807, this was testified to.

15 MR. CALVOSA: Yes, Your Honor, this is
16 DDX-WC.049 of Dr. Charman's presentation.

17 And if we pull up PTX807.1034.

18 BY MR. CALVOSA:

19 Q. Do you see at the top it says, "Reply to office
20 action" dated April 4, 2017?

21 A. Yes, I'm just looking for the page in here.

22 Q. You're free to look up there.

23 Do you see these claims that are limited to
24 tablets were filed April 4, 2017?

25 A. I see that's the date on the top of that.

CROSS-EXAMINATION - WILLIAM CHARMAN

1 Q. Let's go back to the claims that were actually filed,
2 the original claims, on March 24, 2011. That's going to be
3 all the way at the beginning of PTX --

4 MR. SCHULER: Your Honor, this didn't get
5 admitted into evidence.

6 THE COURT: He's not --

7 MR. CALVOSA: Your Honor, he put it on the
8 screen and admitted it into evidence.

9 MR. SCHULER: I did not offer this exhibit.

10 MR. CALVOSA: It's in his slides, Your Honor.

11 THE COURT: It was referenced in your slide.

12 BY MR. CALVOSA:

13 Q. Let's please go to the actual claims filed on
14 March 24, 2011, page 2, all the way at the beginning.

15 If you look at Claim 1, is that in any way
16 limited to a tablet, sir?

17 A. This is PTX0807.2, is that the page?

18 Q. Correct. And Claim 1 does not recite a tablet
19 formulation, correct, sir?

20 A. Yes, that's correct.

21 Q. Now, I'd like to pull up DDX72. And you were
22 testifying about the viscosity-enhancing agent and the acid
23 being separate from the GHB particles, correct?

24 A. Yes.

25 Q. And I couldn't help but notice, you said that there

CROSS-EXAMINATION - WILLIAM CHARMAN

1 was no guidance to do those two in combination, did I hear
2 that right?

3 A. No. I don't believe you did.

4 Q. Okay.

5 A. My comment was that they were both to be separate.

6 Q. Correct. And you agree with me that the '782 patent
7 teaches a POSA that in certain embodiments where the
8 compositions of the present invention are provided as liquid
9 compositions, such as suspensions, thickeners can be used,
10 right?

11 A. Yes, I believe there's part of the specification that
12 describes that.

13 Q. And "thickener" is another word for
14 viscosity-enhancing agent, right?

15 A. Yes.

16 Q. And in the suspension embodiment in the '782 patent,
17 the thickener would be there with the formulation dissolved
18 in a liquid to impart viscosity to it, correct?

19 A. There were a lot of words. I'm sorry, could you
20 restate that, please.

21 Is this with reference to the specification or
22 just --

23 Q. Correct. In the embodiment here in the '782 patent,
24 where it's describing a composition being provided as a
25 suspension, a thickener would be there within the

CROSS-EXAMINATION - WILLIAM CHARMAN

1 formulation dissolved in a liquid to impart a viscosity to
2 it, correct?

3 A. If you're reading from the specification, yes, that
4 would be correct.

5 Q. I'm reading from your deposition, sir.

6 A. I can't remember what's in there either. So you're
7 talking about my deposition?

8 Q. Correct, sir.

9 A. That's what I said, yes, that's correct.

10 Q. Okay. And the thickener would not work to impart the
11 viscosity that you commented on to the suspension if it was
12 included within the GHB particles, correct?

13 A. Oh, I remember this conversation now.

14 Within the modified-release particle, our
15 conversation was that it would not be able to impart a
16 thickening, but it could be within the immediate-release
17 particle.

18 Q. I'll ask you again, Dr. Charman, a thickener could
19 not work to enhance the viscosity of the suspension if it
20 was included within the GHB-containing particles, correct?

21 A. My answer at deposition was that it would be
22 uncommon.

23 Q. Not traditional?

24 A. That's right.

25 Q. And unlikely?

CROSS-EXAMINATION - WILLIAM CHARMAN

1 A. I remember uncommon and not traditional.

2 Q. You don't recall saying "or it's unlikely"?

3 A. No, there's a lot to remember, I got the two words.
4 But you have the transcript there and I will stand by what I
5 said then.

6 Q. And with respect to the discussion of an acid in the
7 '782 patent, it's your opinion that a POSA would understand
8 that the use of the acids referenced in the '782
9 specification is to stabilize the composition of the
10 formulation and likely counteract the change in pH that
11 would occur when the GHB from the immediate-release
12 component dissolves when the formulation is mixed with
13 water, or supplied as a liquid suspension prior to ingestion
14 by the patient, right?

15 A. Yes, you are reading from the specification?

16 Q. I'm reading from your opinion, sir.

17 A. Yes, that's correct.

18 Q. And it's your opinion that the acid could be separate
19 from the GHB-containing particles in the suspension,
20 correct?

21 A. That it could be separate, yes.

22 MR. CALVOSA: Can we please go to DDX85, please.

23 And can you blow this up.

24 BY MR. CALVOSA:

25 Q. And here you were commenting on Jazz's curious

CROSS-EXAMINATION - WILLIAM CHARMAN

1 claiming?

2 A. Which one?

3 Q. I believe "curious" was your word, sir, was it?

4 A. Yes, "curious" was my word.

5 Okay, this is '866 and '782, yes.

6 Q. And we can look first.

7 MR. CALVOSA: If you could blow up the bottom
8 left one, Mr. Lewis, please.

9 Both sides of the bottom left one, both Avadel's
10 claim and Jazz's claim.

11 BY MR. CALVOSA:

12 Q. You don't highlight every acid that's in Avadel's
13 claim compared to Jazz's claim, correct?

14 A. No, I was comparing those that were present in the
15 Jazz claim that were listed in the Avadel patent.

16 Q. You've never reviewed Jazz's '219 patent to see if
17 Jazz had previously used these same acids that they claim
18 here on the right with oxybate, correct?

19 A. I don't recall the '211 patent issue you're referring
20 to.

21 Q. '219 patent, sir.

22 A. '211?

23 Q. '219.

24 A. I don't recall what that is.

25 Q. Okay. Now -- actually... and you testified earlier,

CROSS-EXAMINATION - WILLIAM CHARMAN

1 but you don't remember any substantive written description
2 analysis from the '782 patent file history, correct?

3 A. Of the patent examiner saying he or she had done
4 that?

5 Q. Correct.

6 A. Yes. No, I don't recall that through my analysis of
7 the prosecution history.

8 Q. Okay. Then let's move on to the blood
9 concentrations. Slide 87.

10 Can you pull up slide 87, please. And if we
11 could blow that up.

12 Jazz claims the numbers 15-30 in its claim,
13 right?

14 A. Yes.

15 Q. And the beginning range in Avadel's patent disclosure
16 is 13-40.3, correct?

17 A. Yes, the lowest number on the left-hand side there of
18 the Avadel patent, yes, 13.0 and the highest is 40.3.

19 Q. So they --

20 A. 40.3.

21 Q. So they have 13 to 40.3, right?

22 A. Yes.

23 Q. Jazz has 15-30?

24 A. Yes.

25 Q. As part of your work in this case, you reviewed and

CROSS-EXAMINATION - WILLIAM CHARMAN

1 cited throughout your report Avadel's final invalidity
2 contentions, correct?

3 A. Yes, I did.

4 Q. And there was a discussion of the Claim 19 in the
5 '782 patent in Avadel's final invalidity contentions,
6 correct?

7 A. I don't recall, but if you say it was there, yes.

8 Q. What I just handed you, PTX1851, is an abridged
9 version of that so I don't give you the voluminous document
10 but that is Avadel's final invalidity contentions that you
11 reviewed, correct?

12 A. I would have, yes.

13 Q. Okay. And if you turn to --

14 MR. CALVOSA: And, Your Honor, I'm happy to
15 offer the whole document into evidence, but given its volume
16 and other issues not in the case, is it possible to offer
17 just the abridged version as evidence?

18 MR. SCHULER: It depends on the purpose, Your
19 Honor. If he's trying to make an argument that depends upon
20 potentially other disclosures in our contentions and try --
21 I don't know what he's trying to do.

22 MR. CALVOSA: This specific claim.

23 MR. SCHULER: I know there's other contentions
24 that are assessed --

25 THE COURT: So why don't you, why don't you

CROSS-EXAMINATION - WILLIAM CHARMAN

1 publish it, ask the questions you want to ask and then we'll
2 see whether Avadel will agree to admit the truncated
3 version.

4 MR. CALVOSA: Okay, thank you, Your Honor.

5 BY MR. CALVOSA:

6 Q. If you could go to page 183, Claim 19.

7 And it says Claim 19, which depends from
8 Claim 14, and it gives that 8-hour blood concentration, the
9 15-30 there, right?

10 A. Yes.

11 Q. And it says, "This claim limitation is also recited
12 in Claim 12."

13 A. Yes.

14 Q. If we go back up a couple of pages to Claim 12, on
15 page 199, it has -- 177, I apologize. Right there, under
16 Claim 12, it has that same 8-hour 15-30 blood concentration,
17 right?

18 A. Yes, that's listed there, yes.

19 Q. And do you see down there it says, "This claim
20 limitation -- "

21 MR. SCHULER: Why are we publishing this?

22 MR. CALVOSA: Your Honor permitted it.

23 THE COURT: So --

24 MR. SCHULER: You didn't move to admit it.

25 THE COURT: Let's take it down for now. Let's

CROSS-EXAMINATION - WILLIAM CHARMAN

1 come to sidebar.

2 MR. CALVOSA: Okay. I apologize, Your Honor.

3 (Whereupon, a discussion was held at sidebar as
4 follows:)

5 MR. SCHULER: Is this is going to obviousness
6 which is not asserted we dropped this, so what is this
7 relevant to?

8 MR. CALVOSA: It's relevant to inventorship,
9 Your Honor, they are saying for Claim 19 that Clark Allphin
10 didn't have this blood concentration in their invalidity
11 contentions which Dr. Charman relied upon. It says, this
12 claim limitation, the one they are saying he didn't have, is
13 disclosed in Allphin 2012. And as Dr. Charman, Allphin 2012
14 is the published application for Mr. Allphin's which is
15 incorporated by reference to the '782 patent.

16 MR. SCHULER: The all obviousness theory.

17 MR. CALVOSA: I'm happy to put the full document
18 in it.

19 MR. CERRITO: They raised 19 and say we can't
20 respond to it.

21 THE COURT: He can respond to it, yes. So the
22 question is what -- whether you want a truncated version of
23 this document or the full document.

24 MR. SCHULER: I'd rather have the full document
25 in evidence then.

CROSS-EXAMINATION - WILLIAM CHARMAN

1 MR. CALVOSA: Sure.

2 THE COURT: Let's put the full document in.

3 MR. CALVOSA: Thank you, Your Honor.

4 (Whereupon, the discussion held at sidebar
5 concluded.)

6 MR. SCHULER: We will address what the scope of
7 the document will be later.

8 THE COURT: Okay. Thank you.

9 MR. CALVOSA: Mr. Lewis, if you could put that
10 back on the screen and let me step back to where we were.

11 BY MR. CALVOSA:

12 Q. What Jazz is saying -- what Avadel is saying,
13 Mr. Allphin didn't have that blood concentration in Claim 19
14 which refer back to Claim 12 here, same limitation, 8 hours,
15 15-30 number.

16 Here, in their invalidity contentions, Avadel
17 said, "This claim limitation is disclosed in Allphin 2012,"
18 correct?

19 A. That's what it says, yes.

20 Q. And Allphin 2012 is the '488 patent published
21 specification that's incorporated by reference into the '782
22 patent, correct?

23 A. Yes.

24 Q. And if we continue down a couple of pages, it
25 gives -- if you continue scrolling down, figures 12, that's

CROSS-EXAMINATION - WILLIAM CHARMAN

1 from the '488 patent as well?

2 A. I believe so, yes.

3 Q. And figure 14 also from the '488 patent, sir?

4 A. I believe so, yes.

5 Q. And then right underneath that -- if we could blow
6 that up, Avadel says, "By comparing figure 12 and figure 14,
7 one would understand that at least for treatment E,
8 treatment group with a daily dosage of 8-gram, the plasma
9 concentration of sodium oxybate 8-hours after administration
10 is 15 micrograms per milliliter or 15 milligrams per liter
11 just as in Claim 19 of the '782 patent," correct, sir?

12 A. You have read that correctly, yes.

13 Q. And that's the number that's in Claim 19 of Jazz's
14 '782 patent, sir, right?

15 A. That's one of the numbers, there were two numbers,
16 weren't there?

17 Q. Correct.

18 And then, thus, a POSA would have understood
19 that the claimed range of plasma concentrations, that's that
20 15-30, is disclosed in Allphin's 2012, right, sir?

21 A. That's what it says, yes.

22 Q. And if we could scroll down to page 272.

23 The names here, this is submitted by Avadel's
24 attorneys sitting right here today, correct?

25 A. Yes, I recognize some of those names.

REDIRECT EXAMINATION - WILLIAM CHARMAN

1 Q. And as you continue scrolling down, that's Avadel's
2 team sitting right here today, right?

3 A. I don't know if they are all sitting here, but, yes,
4 that's representing the group.

5 MR. CALVOSA: No further questions, Your Honor.

6 THE COURT: All right. Redirect?

7 MR. SCHULER: Briefly.

8 REDIRECT EXAMINATION

9 BY MR. SCHULER:

10 Q. Dr. Charman, do you recognize what this is?

11 A. Yes, I do.

12 Q. What is it?

13 A. It's placebo particles representing the Lumryz
14 formulation, so they are particles and they are the ones
15 that -- there was a Lumryz sachet that I had but it was
16 placebo, there's no drug in it.

17 So what I did was, you cut the top of the sachet
18 and then I tipped the particles, the microparticles that
19 were in the sachet into that bottle, so they don't contain
20 drug, but they do represent the character of the immediate
21 release and modified-release particles with the viscosity
22 agent and the acid separate from them.

23 Q. Now, from a patient's perspective, what does it look
24 like?

25 MR. CALVOSA: Objection, Your Honor, outside of

REDIRECT EXAMINATION - WILLIAM CHARMAN

1 the scope of --

2 (Simultaneous talking.)

3 MR. CALVOSA: I just did.

4 MR. SCHULER: It's going to his very first point
5 that he made about powders, what does that look like?

6 MR. CALVOSA: He's not a doctor. He's talking
7 about patient's perspective. He's not qualified for that.

8 THE COURT: Overruled. He can answer the
9 question.

10 THE WITNESS: I am a pharmacist and I am
11 well-experienced in preparing formulations for patients so
12 from that standpoint, I'm able to comment as to what this
13 looks like and they look like particles.

14 BY MR. SCHULER:

15 Q. And from a formulators perspective, what is in
16 Lumryz?

17 A. The composition that we've discussed which are the
18 two types of particles, plus those two agents that are
19 separate from the particles.

20 Q. Could you put up DDX 19, again.

21 And, sir, why did you conclude that this passage
22 with a reference to powders in an IR component did not
23 disclose the subject matter of a powder or multiparticulate
24 formulation for the sustained release portion?

25 A. The highlighted comment, or highlighted words in

REDIRECT EXAMINATION - WILLIAM CHARMAN

1 terms of powder is in the context only of the
2 immediate-release portion. It's got nothing to do obviously
3 with the nonimmediate-release portion and it describes,
4 again, as we said previously, of the powder, then being
5 compressed into the final coated tablet or capsule.

6 MR. SCHULER: No further questions.

7 THE COURT: All right.

8 All right. Dr. Charman, you may step down,
9 thank you, sir.

10 All right. Avadel.

11 MS. DURIE: Thank you, Your Honor. With that,
12 Avadel rests.

13 THE COURT: All right. Avadel has rested its
14 case.

15 All right. So ladies and gentlemen of the jury,
16 I'm going to take you out of the courtroom for a couple of
17 minutes. It may be that we let you leave early tonight
18 because I may need to hear -- deal with some issues outside
19 your presence, so give me 5 minutes or so and I'll let you
20 know.

21 In the event that you do leave, remember the
22 instructions, no independent research, don't talk to anyone
23 about the case, don't review any TV or other stories about
24 this case and leave your jury notebooks in the jury room,
25 all right?

REDIRECT EXAMINATION - WILLIAM CHARMAN

1 (Whereupon, the jury left the courtroom.)

2 THE COURT: All right. We're going to hear JMOL
3 motions.

4 All right. I'm going to let the jury go for the
5 evening.

6 MR. CERRITO: Yes, Your Honor, thank you. This
7 time Jazz moves for a Rule 50 judgment. Let me just
8 start -- try to be as brief as possible and try to -- and
9 let's just start on that last issue, Claim 19.

10 You just saw and heard from this witness how
11 Avadel admitted that they met the -- the limitations of
12 Claim 19 were met, that the claim is not invalid. They
13 showed that to you right at the last break -- right before
14 the last break.

15 Also, with regard to enablement, I think we
16 heard Dr. Charman say that both -- for both patents, '488
17 and '782, that the experimentation necessary would be
18 extensive. Under the case law, that's not good enough. He
19 did not say it would be undue experimentation. Extensive
20 experimentation simply does not meet their burden.

21 So both for enablement in Claim 19, defendants
22 have not met their burdens, and judgement should be entered.

23 Let me go down the list for Your Honor just so
24 the record is complete.

25 For infringement, they've obviously admitted

REDIRECT EXAMINATION - WILLIAM CHARMAN

1 infringement on the '782. For infringement of the '488, as
2 I walked through a little earlier this morning in response
3 to defendant's motion, with regard to the '062 patent,
4 Dr. Little walked through tables 1a and 1b, made it pretty
5 clear why they have a core, a drug-containing core.

6 Doctor Klibanov's response didn't change that
7 fact, again he looked at the same pictures, ignored the
8 evidence that was before him, which, quite frankly, was
9 overwhelming. I think that the testimony concerning
10 Avadel's SEC filing and the structure that was proposed
11 there was unrebutted. Dr. Klibanov didn't talk about it,
12 said nothing on it. And it shows the structures -- both the
13 structures from the core, as described in Legrand and the
14 Micropump technologies that this Court and the jury heard
15 about this week. Again, nothing, unrebutted from
16 Dr. Klibanov.

17 When I mentioned Mr. Vaughn earlier this
18 Monday -- morning, Avadel's 30(b)(6) witness, when
19 questioned, I quote, "The core of the MR particles was in
20 the IR microparticles? That's what Avadel told the Patent
21 Office in the '616 application that led to the
22 patent-in-suit, the patent that we have been talking about
23 here?

24 "Answer: Yes, that's what it says, yes."

25 That's an admission, Your Honor. It's an

REDIRECT EXAMINATION - WILLIAM CHARMAN

1 admission of infringement.

2 With regard to the DI water, Dr. Little provided
3 substantial testimony and evidence on that fact. You heard
4 almost nothing from Dr. Klibanov on that matter. You've
5 also heard from Dr. Guillard how he did three tests on DI
6 water, averaged the numbers out, averaged the numbers out,
7 put it in this patent. That's all that's necessary for
8 infringement on that claim. I think the plaintiffs have
9 more than carried their burden of by preponderance of the
10 evidence.

11 For invalidity on written description for the
12 '488 patent, I won't bore Your Honor with the law, Your
13 Honor is well aware of the law in this area. But my point
14 is Avadel has not met its high burden and no reasonable
15 juror could find in Avadel's favor.

16 Written description requirements may be
17 satisfied by a combination of words, structures, figures,
18 diagrams, formulas, experiment or data contained in the
19 patent's application, these are often, as we know, called
20 place marks.

21 Place marks guide the readers through the
22 specifications forest, if you will, towards the claims. And
23 with respect to the asserted claims of the '488 patent,
24 Avadel has not shown and a reasonable jury would have no
25 evidentiary basis to find those place marks are missing in

REDIRECT EXAMINATION - WILLIAM CHARMAN

1 the clear and convincing evidence.

2 Perhaps, what was most telling in the testimony
3 of Dr. Charman, we just heard about the MAMM pore former,
4 which he identified if you have lag time it's there, there's
5 only three identified, that's a pretty good place mark.

6 For this, among other reasons, Avadel has not
7 proved by clear and convincing evidentiary basis on which a
8 jury could conclude that the asserted claims of the '488
9 patent lack written description, again by clear and
10 convincing evidence.

11 The same argument with regard to the -- sorry,
12 the '782's written description. Claim 24, no reasonable
13 jury could find for Avadel on this defense either. They
14 failed to prove by clear and convincing evidence that
15 Claim 24 of the patent is invalid for written description.

16 I did enablement for both the patents a moment
17 ago; no enablement, never testified there was undue
18 experimentation, simply said "excessive." Case law is
19 pretty clear on that, said that's not good enough.

20 With regard to inventorship, inventorship for
21 the '488 and '782. For the '488, Avadel must provide
22 sufficient evidentiary basis on which the jury could
23 conclude its former employees conceived of the subject
24 matter of the asserted claims before Mr. Allphin and
25 Mr. Pfeiffer. Avadel has not provided that evidence.

REDIRECT EXAMINATION - WILLIAM CHARMAN

1 Mr. Allphin clearly testified that he did the
2 work underlying the claims of the inventions in September of
3 2009. Those laboratory records are in evidence. The
4 evidence also shows he filed a patent application on that
5 work in 2011.

6 Dr. Guillard has testified and was shown that
7 patent application where he admitted that Jazz did it first.
8 Why did he admit that, because Dr. Guillard admitted that he
9 had not applied MAMM functional coatings to GHB formulations
10 until 2012. After the filing of the patent by Jazz. And he
11 did not carry out the deionized water testing that Jazz
12 claimed until 2015. How could they have invented it first?
13 Not possible.

14 He didn't carry out that testing until he saw it
15 in Jazz's patent filings. There's no evidentiary basis, let
16 alone clear and convincing evidentiary basis, on which a
17 jury could find Avadel conceived of the claimed inventions
18 before Jazz or that Jazz inventors did not conceive of those
19 inventions at all.

20 Avadel has not provided sufficient evidentiary
21 basis for this jury to conclude that Claim 24 lacks proper
22 inventorship, and although Avadel pursued several different
23 theories against the '782 patent, they all failed.

24 First, Avadel has taken the position Mr. Allphin
25 and Mr. Bura are not proper inventors because they never --

REDIRECT EXAMINATION - WILLIAM CHARMAN

1 they never even conceived of the claimed subject matter, but
2 there is no basis on which a jury could make that finding.
3 Mr. Allphin walked the jury through the work he did in the
4 2015/2016 timeframe. He testified how he conceived of the
5 modified-release GHB formulations --

6 (Reporter clarification.)

7 MR. CERRITO: He testified how he conceived of
8 the modified-release GHB formulation further comprised of a
9 separate acid and viscosity-enhancing agent to solve several
10 administerability problems that he and his co-inventor,
11 Mr. Bura, had identified. There's no doubt that Mr. Allphin
12 conceived of the formulations that he claimed.

13 Second, Avadel has taken the position that Mr.
14 Allphin and Mr. Bura are not the proper inventors because
15 Avadel filed a patent on the claim, but there is no dispute
16 that Jazz filed its application that eventually matured into
17 the '782 patent in February of 2016, months before Avadel
18 filed their patent application.

19 The '782 patent is adjudicated, as we know,
20 under the American Invents Act. It was an AIA patent. And
21 all that matters is who filed first. Clearly, the record
22 shows evidence is there, Jazz filed first.

23 With regard to derivation on the '488 patent,
24 Avadel has also asserted that the claims of the '488 patent
25 and only the '488 patent are invalid because they were

REDIRECT EXAMINATION - WILLIAM CHARMAN

1 allegedly derived from Avadel's public patent filings. No
2 reasonable jury could come to that determination, simply not
3 enough evidence, simply no evidence.

4 Avadel did not file the publicized patent
5 application at issue until years after Mr. Allphin did the
6 work that underlies Claim 7 and 11 of the '488 patent, if
7 anything, the evidence shows Dr. Guillard derived crucial
8 aspects of his patent filing from Jazz. Specifically,
9 again, the deionized water testing.

10 The jury cannot find that Jazz derived from
11 Avadel when Dr. Guillard clearly admitted he took the
12 limitation of the claimed invention from Jazz. Jazz did it
13 first.

14 As to damages, the evidence demonstrates that
15 the jury could not -- could reach only the conclusion that
16 Jazz is entitled to a 27 percent royalty rate for past
17 infringement for reasons that we all know. They're
18 obviously not going to hear and have not heard anything to
19 rebut that. Jazz's experts Dr. Rainey opined that Jazz and
20 Avadel would accept a 27 percent royalty rate as part of a
21 three-tiered royalty structure because such a rate would
22 make Avadel better off and Jazz not worse off.

23 Jazz and Avadel are direct competitors. Avadel
24 has aimed to expected -- and expected that patients would
25 switch from its product, Jazz's product, to Lumryz, and

REDIRECT EXAMINATION - WILLIAM CHARMAN

1 Lumryz specifically -- Avadel specifically targeted that
2 switch that Avadel linked its once-nightly to the
3 formulation -- or should I say once at bedtime, sorry --
4 that Avadel linked its once-at-bedtime to the formulation
5 he's promoting, its once-at-bedtime formulation. That the
6 FDA linked its ODE to the convenience of the once-at-bedtime
7 formulation was linked to those patents.

8 So Dr. Rainey expressly testified that the
9 patent inventions drive the value of Lumryz because of its
10 once-at-bedtime formulation is what constitutes an
11 improvement over the twice-nightly oxybate products and that
12 the formulation would not exist absent the patented
13 features. Indeed, as we saw, no noninfringement
14 alternatives exist. You won't hear -- the jury won't hear
15 about any at this trial.

16 Obviously, the jury saw that Avadel did not
17 cross Dr. Rainey on the appropriateness of the royalty rate,
18 and thus, a reasonable jury could conclude that only a
19 reasonable royalty of 27 percent is proper for past
20 infringement. Thank you, Your Honor.

21 THE COURT: Thank you.

22 MS. DURIE: Thank you, Your Honor. I will just
23 take those points in order. With respect to Claim 19,
24 Avadel is entitled to pursue theories of obviousness and
25 invalidity under section 112 in the alternative as part of

REDIRECT EXAMINATION - WILLIAM CHARMAN

1 the pretrial process.

2 In addition, the standards that apply to
3 invalidity under section 102 and 103 are different from the
4 standards that apply to written description and enablement.

5 In addition, the data that is set forth in
6 Allphin 2012 with respect to blood plasma levels that was
7 referenced has been shown in the course of this trial to be
8 unreliable in that the actual data was only partially
9 provided to the Patent and Trademark Office. And the data
10 critical to the interpretation of the data that was provided
11 was withheld, rendering that data unreliable for purposes of
12 Jazz's effort to demonstrate possession of the invention.

13 With respect to section -- the other claims of
14 the '782 patent and the '488 patent, which were dealt with
15 collectively and generally, Dr. Charman's testimony that
16 there would be extensive experimentation required, I think
17 at one point it was extensive and at another point was
18 excessive, more than meets the standard for whether
19 experimentation is undue.

20 The legal test does not require the expert to
21 recite the word "undue." The legal test requires the expert
22 to provide substantive evidence. With respect to the amount
23 of experimentation required, including merely rote and
24 conventional experimentation, which can be deemed undue
25 under controlling Federal Circuit law, it is a question of

REDIRECT EXAMINATION - WILLIAM CHARMAN

1 fact for the jury ultimately to make a determination as to
2 whether the amount of experimentation that was described
3 constitutes undue experimentation for purposes of
4 enablement.

5 With respect to infringement of the '488 patent,
6 the Court and the jury have seen ample evidence that
7 Avadel's product has a core and that Avadel's product does
8 not have drug within that core.

9 That conclusion is buttressed not only by the
10 expert testimony that the Court has heard, but also by the
11 figures and texts in Avadel's patent, which clearly
12 demarcate the difference between the core that contains
13 drug -- sorry, the core that does not contain drug that is
14 inert and the drug that surrounds that core.

15 The purported admission that Jazz repeatedly
16 cites is nothing more than an admission that the figure and
17 the text in the patent and the table in the patent together
18 accurately describe the composition of the formulation,
19 which they do, with respect to both the presence of drug and
20 the presence of the neutral core.

21 The fact that we agree that that, in fact,
22 covers Avadel's product does not translate that into an
23 admission that the core in Avadel's product has somehow gone
24 away -- the inert core in Avadel's product has somehow gone
25 away.

REDIRECT EXAMINATION - WILLIAM CHARMAN

1 And as Dr. Klibanov explained, at most there's a
2 failure explicitly to recite that the IR microparticle that
3 forms part of the CR microparticle contains sodium oxybate
4 as well as the inert core, a conclusion that is extremely
5 self-evident from reading the patent specification and the
6 weight that is ascribed to the IR microparticle, which is
7 the combination of the inert core and the drug. That is
8 what makes up the total weight.

9 With respect to invalidity of the '488, a
10 similar argument was made with respect to the amount of
11 experimentation required. The response is the same, an
12 excessive amount of experimentation is undue, that is a
13 determination for the jury.

14 With respect to inventorship, Jazz indicates
15 that their contention is that Mr. Allphin conceived of the
16 invention in September of 2009. The documentation to which
17 they refer is not present in the patent. It is up to the
18 jury to make a credibility determination whether that was,
19 in fact, a conception of an invention or whether those were
20 experiments with respect to a material that Mr. Allphin
21 deemed not desirable, experiments that he then concluded
22 were unsuccessful and made a decision not to include in the
23 patent precisely because they were different from what he
24 contended to be his invention. The experiments were a pH
25 trigger and his invention was not. His invention was a

REDIRECT EXAMINATION - WILLIAM CHARMAN

1 tablet that had, in lieu of a pH trigger, a slow release.

2 The fact that Mr. Allphin may have done some
3 work first does not mean that he conceived of the invention
4 first. It does not mean that he ever possessed the
5 invention, and the fact that Mr. Guillard admitted that
6 Mr. Allphin had done some work first is far from admission
7 that he was the true inventor.

8 With respect to inventorship, certainly the jury
9 is also entitled to credit Mr. Allphin's admission that he
10 did not consider himself to be the inventor of Claim 1 of
11 the '782 patent and also to credit his admission that he did
12 not know why the separate acid was even present as a
13 limitation in the claim. In other words, the jury is
14 entitled to credit that the rote copying of that language
15 from Avadel's patent application also supports the notion
16 that Avadel is the true inventor of that subject matter and
17 that there is not support in the February 2016 application
18 for those claims as they were later presented to the Patent
19 Office.

20 The fact that Jazz filed first under the AIA is
21 not the only dispositive question. Inventorship is still a
22 defense post-AIA, and it is to the jury to make a
23 determination under the evidence that has been presented as
24 to who the true inventors of that subject matter are.

25 With respect to derivation with respect to the

REDIRECT EXAMINATION - WILLIAM CHARMAN

1 '488 patent, the legal analysis with respect to inventorship
2 is essentially the same, although pre-AIA, there was ample
3 evidence that the inventors of the subject matter of a claim
4 with methacrylic acid-methyl methacrylate, subject to a
5 particular dissolution test under particular conditions, was
6 an invention that was made by the Avadel inventors.

7 Jazz has been unable throughout the course of
8 this trial to point to any work that it did that satisfied
9 those precise testing conditions, and has not pointed to a
10 single experiment that falls within the ultimate scope of
11 the claims as issued. Although, such an experiment would
12 not be conclusive with respect to who is the inventor of
13 that subject matter.

14 And, again, with respect to conception of the
15 invention for purposes of derivation, it is again to the
16 jury to make a determination as to whether there was an
17 earlier conception of an invention meeting all the
18 requirements of the claims, or whether that invention only
19 came about upon a review of, as an initial matter, the
20 publication of Avadel's data in 2014, and then later the
21 publication of Avadel's patent application listing the
22 precise parameters that later wind up in the Jazz patent
23 claims.

24 With respect to damages, Mr. Rainey's opinion
25 was that depending upon the period of time, damages might be

REDIRECT EXAMINATION - WILLIAM CHARMAN

1 anywhere from 3.5 to 27 percent. He conceded that
2 3.5 percent was an appropriate royalty for a period of time
3 during which the patents at issue in this case were in
4 force, but a period of time in which other Jazz patents were
5 no longer in force.

6 We would suggest that actually conclusively
7 demonstrates that 3.5 percent is the correct royalty and
8 that Jazz cannot rely on the value attributable to other
9 patents in order to satisfy its burden with respect to
10 damages.

11 Jazz made the assertion that there are no
12 noninfringing alternatives. As a matter of law, the
13 question is not whether in view of the FDA's regulatory
14 requirements Avadel had a viable option to switch its
15 formulation at the point of first infringement; the question
16 for the damages experts and ultimately for the jury is the
17 incremental value of the patented invention as applied to
18 Avadel's product. There was no testimony from Dr. Rainey on
19 that subject, certainly nothing that would unambiguously
20 support such an award, and the proposition that we did not
21 cross him with respect to what would constitute a reasonable
22 royalty is wrong. There was testimony on the fact the
23 27 percent royalty is predicated on other patents not at
24 issue in this case. The 3.5 percent is the only royalty
25 number predicated on these patents.

REDIRECT EXAMINATION - WILLIAM CHARMAN

1 And to the extent that it was not otherwise
2 clear with respect to the motion as it pertains to written
3 description, Avadel provided extensive testimony that --
4 through Dr. Charman and through others that the
5 specification of both the '488 patent as originally filed in
6 2011 and the specification of the '782 patent as originally
7 filed in 2016, respectively, do not describe the inventions
8 as they were ultimately claimed.

9 With respect to the '488 patent, the 2011
10 specification does not describe an invention that includes
11 MAMM. It lists MAMM on a list of a very long number of
12 potential excipients that would result in an enormous
13 multitude of formulations, but it does not provide any place
14 marks specifically to MAMM as a desired component of a
15 formulation and to the contrary teaches away from the use of
16 it by indicating in multiple places the undesirability of a
17 formulation that is triggered by a change in pH where MAMM
18 is an ingredient that would cause such a change in the pH
19 and, therefore, a pH-triggered formulation, were it to be
20 used.

21 In addition, the '488 patent is all about
22 tablets. It extensively discusses the invention as being
23 about tablets. There are many Jazz internal documents that
24 refer to that patent and its disclosure as being about
25 patents. The word "microparticle" does not appear in the

REDIRECT EXAMINATION - WILLIAM CHARMAN

1 '488 specification. And its teachings are not translatable,
2 either on their face as a matter of written description, or
3 as a function of the amount of experimentation that would be
4 required for purposes of enablement to allow you to go from
5 a tablet to a microparticle. Every weight range, everything
6 else that's in the patent specification pertains to tablets
7 and tablets only.

8 With respect to the '782 patent, for written
9 description purposes, the only data that is provided in
10 there provides -- is -- relates to a resinate formulation.
11 There is not even a disclosure of any release of the drug
12 from that resinate. And, of course, the claims are directed
13 to immediate-release and controlled-release.

14 There is no description of that release. There
15 is no description of a separate acid. There is no
16 description of a formulation that is not a resinate. And
17 there is no description of a multiparticulate formulation
18 that is comprised of a substance like MAMM that allows for a
19 pH-triggered release as is the Lumryz, the accused product.

20 If I may have one moment, Your Honor.

21 I think that probably will suffice as a
22 response, thank you.

23 THE COURT: All right. The Court will take the
24 JMOL motions under advisement and will render a decision in
25 the morning. We're adjourned. We're going to adjourn for

REDIRECT EXAMINATION - WILLIAM CHARMAN

1 the day. So what -- before we do that, what's the plan for
2 tomorrow morning after the Court rules on JMOL motions?

3 MR. CERRITO: We'll begin our rebuttal case,
4 Your Honor. Robert Stoll will be our first witness.

5 THE COURT: Okay. All right. Any idea how many
6 witnesses you plan to call?

7 MR. CERRITO: We're trying to, you know,
8 obviously, limit it as much as possible. If Your Honor
9 would indulge me until the morning, I can give you a
10 better --

11 MS. DURIE: And will we roll straight from that
12 into the charge conference?

13 THE COURT: Yes.

14 MS. DURIE: Okay.

15 THE COURT: All right. So we will adjourn.

16 MR. CERRITO: Your Honor, I apologize for
17 interrupting. Just one question in view of the question we
18 just heard. I assume, then, pushing the charging conference
19 and then close Friday morning and give it to the jury in the
20 afternoon instructions?

21 THE COURT: Most likely, yes, most likely. I
22 wouldn't want one side to have a closing and not be able to
23 follow with the other side, so...

24 MR. CERRITO: I agree.

25 THE COURT: Yeah, we will likely not start

REDIRECT EXAMINATION - WILLIAM CHARMAN

1 closings until Friday morning, you know. In the event we
2 get done super early and, you know, we got time for both
3 closings, you know, we'll do that, but most likely, we won't
4 do closings until Friday morning.

5 MR. CERRITO: Thank you, Your Honor.

6 MS. DURIE: Thank you.

7 THE COURT: All right. Anything else?

8 MR. CERRITO: Not from Plaintiffs, Your Honor.

9 THE COURT: All right.

10 MS. DURIE: I'm sorry, Your Honor. I apologize.

11 THE COURT: Okay. All right. All right. We
12 will be adjourned until tomorrow morning.

13 (Whereupon, the following proceeding concluded
14 at 5:19 p.m.)

15 I hereby certify the foregoing is a true
16 and accurate transcript from my stenographic notes in the
17 proceeding.

18 /s/ Michele L. Rolfe, RPR, CRR
19 U.S. District Court
20
21
22
23
24
25